# An Oral History of JAMES ARNOLD, ERNEST ANDERSON and R. ERVIN TAYLOR

On JUNE 3, 1996

1 ARNOLD: Oral History tape devoted to radio carbon dating at the University of Chicago. It's 2 June 3, 1996. And this tape or series of tapes is the first of a series which I hope to create and leave with our friends at the Special Collections at the UCSD [University of California, San 3 4 Diego] Library on various aspects of major scientific things that happened that I have been 5 around for. What we're going to do today, then, is to talk about the experience of two very young 6 apprentices with Willard Libby and the late 1940s. And I thought we'd start by Ernie Anderson 7 and then myself describing some chronology of our connection with the radio carbon project. 8 And then, we'd proceed to questions from Dr. Taylor. Oh. Dr. Erv [R. Ervin] Taylor, UC 9 Riverside, author of the book on the history of radio carbon is with us. Dr. Anderson and I were co-authors with Dr. Libby and young apprentices with him in the creation of radio-carbon dating. 10 11 Ernie, why don't you begin then with how you became connected with Libby and the project? 12 ANDERSON: Well, I first came to the University of Chicago in the late winter of 1942 to work on 13 the metallurgical project which later became the Manhattan district. I was employed then as a 14 graduate assistant who was assigned problems in analyzing various special materials. As a 15 graduate student, then I was able to start taking some courses. This was interrupted two years 16 later in '44 when I was transferred to the laboratory of Los Alamos to continue making like an 17 analytical chemist. When the war was over, I returned as guickly as possible to Chicago. I got 18 back there, I suppose, probably February of '46. I resumed my graduate student status taking 19 the required courses and I applied for a graduate assistantship to help pay for things. Libby 20 chose me as his assistant and my first assignment was simply to build up the basic laboratory.

21 **ARNOLD:** Ernie, when did you begin with Libby?

ANDERSON: Began— Unfortunately, my memory is quite hazy about this. I believed it happened quite soon after I returned and after I got into the swing of things. Whether it was still in the spring of '46 or whether it was later—I don't know. Libby's laboratory notebooks say that period would probably establish the date at which we really began doing something as opposed to the scuff work of building a back line and collecting shielding and making some counters. 27 After the lab was running and my guess would be that this was perhaps the summer of '46 28 subject to verification in the notebooks. Libby said that he had some samples of methane 29 coming from the Houdry Process. This would be paired samples of biological and enter methane and they had been isotopically enriched by [Aristid von] Grosse and his crew. We 30 31 expected them to find radio carbon in them. So, for some period of time, and again the time scale escapes me. I measured these samples of methane and the gas sample powder and sure 32 33 enough, just as Bill had predicted, we found radio carbon at approximately the right level. The 34 measurement was fairly easy because of the isotopic enrichment and the amount there was up 35 by factors of several hundred. The next step was to eliminate the need for the isotopic 36 enrichment which was very slow, expensive—required lots of material. And Libby suggested 37 that I should take this on and try and adapt his screen-wall counter to accomplish this task. I'm 38 not quite sure when in the scale of things this became my thesis assignment. The discovery of 39 radio carbon was definitely done while I was simply an assistant. My name is on the discovery 40 paper, but I was really just doing technician work at that time. When I passed around for a thesis 41 subject having gotten to the point of qualifying for thesis research, Bill suggested that maybe I'd 42 like to continue and measure the contemporary essay. In other words, how much radio carbon 43 is there present in a new sample? Does it vary with geography or latitude or anything of that 44 sort? And by that time, we had the screen-wall counter adapted so that we could measure the 45 contemporary essay without too horrendous an operation. At that point, I asked him, well, what 46 about the work on the discovery. Will that be of any use? And his only reply was "Well, it won't 47 hurt." But in point of fact, my thesis was entirely on improving the apparatus and on measuring 48 the C-14 activity in contemporary samples. In the course of which the apparatus seemed to be 49 stable enough and sensitive enough that it might be applied to old samples and the possibility of 50 archaeological dating seemed reasonable. At that point, Jim joined the team and he became 51 responsible for the archaeological side of it. I was responsible for winding up the contemporary 52 essay and helping to keep the apparatus running. Which Jim says was very difficult, in which I 53 seem to remember as a breeze. But that's a matter of opinion. In the spring of 1949, I had 54 completed my thesis and being very discouraged with the city of Chicago and the housing 55 available and the number of personal problems, I immediately ran back to Shangri-La in Los Alamos and stayed there for the next thirty-five years. Okay. That's mine. 56

ARNOLD: Okay. Let me move in with a similar history. I got my degrees, including my
graduate degree, at Princeton, connected with the Manhattan project—the part of it, which
Harold Urey ran the separation of uranium-235. Looking around for what to do at the end of the

Oral History of James Arnold, Ernest Anderson, and R. Ervin Taylor

60 war, one my teachers, John Turkevich, without asking me, recommending me to his brother 61 Tony Turkevich who was a new faculty member at the University of Chicago as a potential 62 postdoctoral fellow at the university. And I dually was awarded this fellowship and turned up in Chicago early '46. There was surrounded by infinite amount of high-class intellectual talent, 63 Noble Laureates, and future Noble Laureates in droves-and one of the people there was of 64 course Bill Libby. Being a chemist, I wanted to learn about radioactivity and I was going around 65 66 talking with the five or six nuclear or nuclear-related chemists there. I'll jump over some other 67 things and describe my first interview with Bill Libby when I got to him on the top floor of Jones in his office at that time. The first thing that he did was suggest to me— He wanted me to come 68 69 work with him. He suggested to me the topic of determining whether there was a stable tritium 70 isomer along with the known radioactive tritium isomer that had been discovered by Alvarez a 71 few years earlier. I rejected this problem. I thought there wasn't and I didn't see why should go 72 to a lot of trouble to find out something where I thought I knew the answer. He then pulled 73 another folder—I don't remember that one. But he tried to sell me that project and couldn't. And 74 then, I'd never forget this. He pulled out the drawer in which the folders were and to my eye 75 there was something like fifty of them. Each of them with a few pages. And I thought to myself, 76 I'm not going to get out of here. At any rate, the third folder he pulled out revolved carbon-14 in 77 a different way. Martin Kamen, a dear old friend of mine now, had with Samuel Ruben 78 discovered the isotope carbon-14 just before the war-'37 or so. And he made a small amount 79 enough to verify that it would indeed be a useful tracer isotope. What Libby had done near the 80 end of the war was to use his influence to put a slug of beryllium nitride into the Hanford 81 plutonium reactors. And he headed back. And it contained by calculation a millicurie, which is a 82 large useful amount of carbon-14 activity. In my task, I wanted to learn about Geiger counters 83 and everything. I'd never seen one despite being on the Manhattan project. My task was to 84 make that chemical separation and in return, Bill undertook to teach me how to make Geiger 85 counters and use them and got me into all the technology as it then was. This took about two 86 months. He was marvelously patient with me. And in the end, we had barium carbonate 87 containing this radioactive tracer. And I moved on to work with first, Edward Teller and that was 88 six weeks and then with Nathan Chugerman [?] who taught me a great deal. And that was my year at Chicago. I then went off to Harvard for year. I had another postdoctoral fellowship there. 89 90 And near the end of it, the events which Ernie has described, the progress that had been made 91 and the funding which the Viking fund as it was then called—later, the Wintergrand Foundation 92 [?] had provided to him. He called me up just when I was starting to look for a job and offered 93 me a position on this project. Now, stepping back a little bit, I was intensely interested from day

94 one. My father was a very serious amateur archaeologist. He was the American secretary of the 95 British-Egypt Exploration Society, for example. Which means a money raising position. And I 96 had grown up with Egyptian archaeology. When I told my father about this—Christmas time 1946—he got very excited. And when I got back to Chicago, there was a box from the 97 98 Metropolitan Museum in New York, from the curator of Egyptian archaeology, Ambrose Lansing. With ten samples in it. We were all very much amused later particularly by the dry dregs of 99 100 Egyptian beer which was one of the ten samples. But I was very much chagrined from receiving 101 this box from Mr. Lansing because as far as I knew in 1946, this was a conversation piece. This 102 was a fun thing to think about. I hadn't really been aware of how serious Bill Libby was when I 103 showed him the package in January '46 just before leaving for Harvard and apologized to him 104 for overselling the project first to my father and then through him to Lansing. Bill's reply-often 105 he didn't use many words— I don't remember his using any. He simply took the box from me—

#### 106 **TAYLOR:** Excuse me but you mean '47?

107 ARNOLD: I mean January '47. Thank you very much. After Christmas. And he took the box, 108 put it on the shelf above his desk. And I said to myself, "My God, the man is serious." And went off to Harvard and didn't think much more about it until I got the phone call from him late in that 109 110 year inviting me to come back. I do not remember hesitating at all. I simply joined the project. 111 One little amusing footnote is that Lansing had said to me and to Bill that these were unknown 112 samples. He wouldn't tell us the dates because then— He said answers could come to him and 113 he could check. And having grown up with Egyptian archaeology, they were not unknown to me. So, we treated them in that way and they were the known samples. Now, all the things that 114 115 Ernie described had gone on in my absence. The discovery, the building up on the equipment, 116 and the coincidence as well as the use of this screen-walled counter with solid carbon for the 117 measurements. So, when I got back, it was possible-and I underline the word possible, not easy-to make measurements of contemporary carbon without enrichment. However, all the 118 119 same, one of my first tests from Bill on my return was to supervise the construction of an isotope 120 separation column and a thermal diffusion column. I knew nothing about thermal diffusion 121 columns at that time, but there was a French engineer, Denis Tanguy [?] from the Houdry 122 Process Corp. And he came around and we—he designed the column and I checked his calculations and then set up the construction of it at the famous University of Chicago shops, 123 124 which was my introduction what a magnificent machine shop can do. So that was built. When I 125 asked Bill Libby why he wanted to, his remark was that he still was not sure that this was going 126 to be precise enough to do good dating and, in any case, it would extend the range of dating

availability by twenty thousand years or whatever. It was the enrichment level. That turned out
to be a blind alley. We calibrated it. It worked. When last heard from it, it was still in the stairwell
at Kent, but was never used. That, I think, is enough on the chronology. Erv, we probably should
cut you in for what you want to talk about.

131 TAYLOR: One of my interests here is to ask the questions of the people who were there 132 when this technique was in its developmental phase. For those of us who came later, we're 133 awestruck by the impact it had. Trying to untangle how it originally got started. Let me ask you a 134 question. At the time— Neither of you at the time that you began the project, how much did Bill 135 Libby explain what he was up to in terms of creating a dating method? How much was he 136 explicit to you about what he was up to?

ANDERSON: Well, to me— This is Ernie. To me, I don't recall that he said anything about that.
I think we were always talking about the contemporary essay proving the existence and that sort
of thing. Somewhere along the line, I must have known about the, namely, detailed
measurements of the contemporary essay. As I recall, was presented to me as not only
verifying the calculations, the predictions, but also providing a base line. But I really can't say
when I became aware of this.

143 ARNOLD: My story is quite different. You see, whenever it was that we first talked, I had the 144 advantage of this family background in archaeology. And I have always thought that one of the reasons that—I hope it wasn't the only reason—Bill Libby called me up and invited me back was 145 that I had that background and I understood how rare it was in archaeology broadly speaking 146 147 that secure chronologies existed. Egypt was one of those places which is why it was a good place to start. But whole broad areas of archaeology were dependent on very indirect reasoning 148 149 of one kind or another for dates. And as I found out later, archaeologists had sometimes had 150 disputes ranging into decade over decades as to what was the correct date for this particular 151 horizon. So, I certainly felt that the story was gueer and that was of course then the part I was 152 invited to participate in so naturally he shared that. I became— In fact, when I arrived, he turned 153 over to me, for better or worse, the relations with the archaeologists. At first, with certain 154 individuals. I'm thinking of John Wilson at the Oriental Institute from which we got ill-fated 155 sample or two. And then later, the committee that was set up— One of the smartest things that 156 Bill Libby ever did was assemble the committee headed by Frederick Johnson. And I was the 157 interface with them, and later with the individual archaeologists who sensed that.

158 **TAYLOR:** But Christmas of '46 appears to have been a crucial date. And you heard of—

ARNOLD: As far as I remember, that's when I heard of it. I don't know how I missed the
June paper which made some very serious hints in the physical review on that subject. But as
far as my memory extends, it was before Christmas but not a lot before Christmas.

ANDERSON: Well, as I recall, Bill later said that he didn't want to talk about this because ofsuch an absurd idea would be ridiculed. I'm sure he was really protecting his priority.

**TAYLOR:** This is a question I wanted to ask. It's come down in tradition that one of the reasons that he didn't talk about it early on was that— Well, let me ask a question rather than offering it. Why did he not talk about it earlier? He later said that he had come up with the idea when he read a paper in 1939—corps paper about the presence of C-14 [carbon-14]. So, if he had the idea in '39 and you didn't hear about it until Christmas of '46, what was going on in the interim?

ARNOLD: 170 Well, the Manhattan project was going on in the interim. Let's remember that Bill 171 Libby was an assistant professor in 1949. In fact, one of the most interesting transitions was his 172 transition from a very brilliant and promising youngster at [University of California] Berkeley 173 among other brilliant and promising young faculty members there. Going off and spending 174 some— Harold Urey told me that Bill Libby and Tony Turkevich were the first two people he 175 hired for the Manhattan project. For his part of it. So that must have been '41 or thereabouts. 176 So, he spent the whole war there. And then, of course, he was in very close contact. He 177 became a senior there and made major contributions. In particular, the patent on the diffusion 178 barrier and the name of those two people. Proud of that. So, he arrived in early '46 having had 179 no real opportunity to do anything about it at all. And of course, with neither equipment nor 180 money, an empty room for a lab and all of that sort of thing. So, there is a lot still to do. And 181 that's where the time-

ANDERSON: Well, your beryllium nitride is an important thing at this point, isn't it? In other
words, the half-life of C-14 was quite up in the air

184 ARNOLD: It was between a thousand and a hundred twenty-five years in the tables. So,185 when I first arrived.

186 **ANDERSON:** So, it really had to be tied.

ARNOLD: You'll recall that Libby mounted a campaign with Antonia Engelkeimer as the
corresponding person to Ernie and myself to make the measurement of the half-life. That was
going on in parallel while these other things were happening. And that was the first really
good— I think there was already a measurement somewhere around five thousand years, but it
was very crucial.

192 TAYLOR: There was a footnote in Libby's book that someone—and I'm never quite sure 193 whether with him or somebody else at one of those—at one of the Manhattan project centers 194 had measured the half-life and it was wildly off. I mean, the value in the footnote—and I looked it 195 up—was something under twenty-five thousand with error of ten or fifteen or twenty percent.

196 **ARNOLD:** I believe that.

197 ANDERSON: Yes

ARNOLD: His was certainly was the precision measurement and it held up very well. Tony
Engelkeimer but as she was in the same position I think that Ernie and I were in. The
apprentices.

TAYLOR: Let me ask question. Getting a little ahead of the chronology, but picking up on
this point of the half-life. As you know, the original was on the order of fifty-seven hundred,
which in retrospect was closer to the number that was subsequently used for the calculations in
fifty-five—little over fifty-five hundred. Do you happen to know why it was chosen to substitute—
205 Po you remember the dialogue going on at the time when they decided to use the so-called
Libby half-life rather than the original experimental thing?

ARNOLD: Well, there were two separate determinations. There were two separate papers.
And— What is it? Fifty-five eighty-nine or whatever the number was. Was also a Libby number, I
believe.

TAYLOR: But in the book— In the text of the book, he lists a series of calculations. This is where I saw the first reference in the footnote to the attempt to determine what it was during the war. And the he says that he— In the book and in one of these early papers, he had also said that he had averaged the most likely values and come up with the fifty-five sixty-eight values rather than the original fifty-seven hundred number. Do you recall any dialogue or discussion? 215 ARNOLD: I think there was some discussion but I think my fallible memory— I seem to 216 have a little more confidence in mine than Ernie professes for his but we should all remember 217 that it's almost fifty years. Is that it put heavy weight on the second published half-life of Engelkeimer and Libby. Incidentally, we should remark in connection with these papers that 218 219 Libby used every possible means not to be the first author on any of these papers, although he 220 was the senior author in every possible respect. Ernie and I schemed several times and I 221 remember the one that was caught by him in page proof and corrected it. So, these were always 222 other people who got the credit. But at any rate, that's my memory and I'm afraid that's about as 223 far as it goes. I don't know whether anyone else's determination was ever in that or not

ANDERSON: I thought that— Did Campbell have a number that was very close? But averaging available data, of course, is the usual way of compromising when there are discrepancies.

TAYLOR: As you know, as discussed when they turned out, if he had kept the original
number it would have been closer to what was later looked on by man in all this

ANDERSON: Sure. But this of course was not known. You had these reputable measurements. They had error bars associated with them and so the objective thing is average with perhaps proper waiting, the available determinations and hope that you're getting closer to the truth. As you've point out, you may not be. But you don't know which of the disputed values is the correct one at the time.

TAYLOR: Another question that came up and I've never heard any discussion of it other
than some of the communication I had with you, Ernie, by phone— And it's not an important part
for the scientific, but it's perhaps from the historical point of view. Is it— You have suggested at
one point that Libby could have coined the term radio carbon himself.

237 **ANDERSON:** I believe he did.

238 **ARNOLD:** I don't know anything to the contrary. You see, there were other—

ANDERSON: There were precedents of them.

ARNOLD: Yeah. There were precedents. And also, of course, there were other radioactive
 isotopes of carbon. Carbon-11 was the one that the Martin Kamen and Ruben used twenty minute half-life and that's what drove them to look for another one because for studying
 photosynthesis that was pretty embarrassingly short. But the— I think that, you know, many

times Carbon-14 is the label as such still. But radiocarbon dating certainly— That pair of words,
that phrase is Libby's. I can't imagine there's a question about that.

ANDERSON: The use of radio as a prefix dates way back to the studies of the uranium series where you would have several isotopes which would appear at different points in decay scheme radio.

249 ARNOLD: Radio-thorium-

250 **ANDERSON:** Radio-thorium, radio-itinium— So that, the nature of the terminology was

251 classing, but the particular application of the department, I believe was—

252 **TAYLOR:** In looking up some of those papers I noticed in some cases they were

253 hyphenated—more often hyphenated than not. The unhyphenated radiocarbon— This is— Only

a historian would be interested in this.

ANDERSON: Let's say that punctuation and spelling is a very fluid area.

256 ARNOLD: It's not supposed to be, but it is. I would like to pick up one thing that you were in 257 and I wasn't and ask about it. You've mentioned earlier the use of screen-walled counter which 258 of course Libby had invented before the war and used for measurements of long life, natural 259 radioactivities, [inaudible] and so on. The other major change-innovation was the use of any coincidence which of course Libby did not invent. That was a technique in use of cosmic ray 260 261 physics already before the war. But it was a novel idea to use it for counting radioactive isotopes. And I wonder essentially did both these things-did the first counting set up that was 262 used to endeavored a count of radiocarbon—did those things come along at the same time or 263 264 were they in some sense sequential?

265 ANDERSON: I'm sorry. I don't remember. I would hope perhaps if one had the Chicago notebooks which perhaps are at UCLA by now, one might be able to determine when we did 266 267 what. It is certainly true and ironic in a sense that one of the first things we looked at was gas counting of carbon dioxide. Well, carbon dioxide, of course, is the worst possible founding gas 268 269 of electron affinity and everything. Perhaps it was my incompetence, but we abandoned it rather 270 quickly and Bill insisted that the solid sample counter was the way to go. And we did 271 comparative calculations which I later published in the Danish Academy of comparing and 272 detailed the gas counter and the solid counter. And from the viewpoint of a choice at that time, it 273 looked like a toss-up. The screen-wall counter was competitive with the gas sample counter and

Oral History of James Arnold, Ernest Anderson, and R. Ervin Taylor

it had what we regarded as the tremendous advantage in view of the state-of-the-art GeigerMuller counters. Tremendous advantage that you would measure sample and background
alternately with the identical counting gas.

ARNOLD: I totally agree with that and I think that if you've gone the gas counter route,
which ultimately took over the world, I think it would have been much more difficult at the early
stage. To get a reproducible filling was certainly something that didn't come along till later. And
particularly for CO<sub>2</sub> [carbon dioxide].

TAYLOR: Well, let me make sure I understand. This is the first time I've heard this. You
originally did attempt to use a CO<sub>2</sub> counter.

ANDERSON: We took a look at it. Yes. As I said, my first job for Bill was to set up his counting lab which was to get it equipped so we can investigate all kinds of counters. And so, you're going to count carbon— Well, alright, can you count it as a gas? And it was not a deep-seated research objective. We didn't have any particular confidence in it, but we had to take a look at it.

**TAYLOR:** Do you recall what the major problem you immediately encountered with the CO<sub>2</sub>counter?

ANDERSON: Yeah. The darn thing wouldn't work. Yeah. Carbon dioxide has a strong electronaffinity.

291 **TAYLOR:** Subsequently, the problem was traced to the amount of impurities in the gas.

292 **ANDERSON:** Well, I thought it as carbon dioxide itself that—

293 **ARNOLD:** Well, it has to be very, very pure.

TAYLOR: Very pure. Because that's where I kind of viewed it as. But the issue was— It
turned to be as Jim knows— The issue turned out to be the tremendous purity of the gas you
had achieve.

ARNOLD: As far as I remember, it was Gordon Ferguson who had [Athol] Rafter in New
Zealand. First succeeded that. Other people used— Hans Suess used acetylene—

TAYLOR: But you used methane— In other words, you looked at the CO<sub>2</sub>, you looked at
 methane— Methane worked?

ANDERSON: Well, methane was a matter of choice on the basis of the thermal diffusion plan.
 And in that connection, not only was methane a good gas for thermal diffusion but rather,
 uniquely and fortunately the city of Baltimore had a sewage disposal plan in which they
 produced methane by fermentation. Biological methane. Live methane. So, with methane, one
 immediately had easily available both petro-methane gas and biological methane matter.

306 TAYLOR: I'd like to also ask the question that— Also this is another question. You
307 mentioned that you thought as when the— You were using a screen-walled counter that was
308 originally— It worked well for you, is that right? I get an entirely different view from reading the
309 literature and listening to other people who tried to make it work. Ferguson, for example, and
310 other people—

311 ANDERSON: Jim was concerned with something that would work reliably and give him 312 answers. I was playing with an instrument that— Gee, this thing's a lot of fun, you know. It's like 313 your computer. There are things that fouls up on you. Well, alright, we'll learn, we'll work around 314 them. So, I think our attitudes were quite different. In other words, here's something that some 315 time doesn't work at all and, to me, hey, it'll work half the time. Well, to Jim it only works half the 316 time. I think perhaps that was part of the problem. Coincidentally, Jim asked—or somebody 317 asked something about at a coincidence counting-where it came along. I discovered only 318 much later from when I was at Copenhagen building the radiocarbon dating lab there that we 319 had been anticipated by at least a decade in the use of anti-coincidence shielding to reduce the 320 background of a counter being used to measure radioactivity. I forget the name of the Dane who 321 did it. It's published in the Danish Royal Academy. And sure enough, he had the idea. He did it. 322 Didn't work very well for technical reasons. But if you want to delve deeply into the obscure 323 literature and find out who first used anti-coincidence counting in this context, it was done by a 324 Dane.

325 **ARNOLD:** It's reasonable to presume that Libby was not aware of this.

326 **ANDERSON:** I'm sure he was not. Nobody was.

ARNOLD: But coming to this issue of reliability, there were a number of stages in this
process. The first encounter I had with this screen-walled counter was one of Bill Libby's prewar counters, I believe it was. glass envelope. The center wire was by a very elaborate process
that Ernie is much better with hands than I. Unhooked from the spring. There was a spring
unhooked. And then you took off the end and so forth and so on. And as far as I was concerned

332 this was a horror from the beginning. But then the next stage was a counter mounted from one 333 end. A metal counter mounted from one end. The final design that was used to complete Ernie's 334 thesis and to publish—do the sample on the first date list was due to Bob Schuch [Robert L. Schuch] whose name needs to be mentioned here somewhere. Our technician on the project. 335 336 Someone that Ernie had known earlier in life and persuaded to join us. He was just very good, practical technician and engineer. And these were— First, they were still waxed together with 337 338 this wonderful awful de-condense ski wax that Bill loved. Then later, O-rings were used which 339 Bob persuaded Bill. Bill was very hard to persuade to improve any piece of apparatus that 340 worked however marginally.

341 **ANDERSON:** If it works, though—don't fix it.

ARNOLD: Yeah. They're famous— The notorious example that I first encountered, there
had been the circuitry for handling the pulses and making the—driving the mechanical recorder
which cyclotron specialties recorder which moved a relay every time there was a count. And
Ernie had lashed together a breadboard with, I remember, some brass sheet and some
screws— Just literally on a board.

347 **ANDERSON:** And a tube hanging upside down.

**ARNOLD:** Tubes hanging at loose ends— Just to see if it worked. And that was in use for years because Ernie and I between us could never persuade Bill that we ought to be packaged nicely and so on. It was sitting on top of the shield. And I think it was only after it had fell off the shield at one point and smashed that he was with great reluctance agreed to turn it over to the electronic shop and let them— You know, give them a nice proper box.

ANDERSON: Jim wasn't the only one who had trouble with the screen-walled counter. Some
 other of Bill's students who had to use it in the course of their investigations would curse it
 roundly and would be unable to put it together—

356 TAYLOR: Now, your criteria of what had worked if it worked fifty percent of the time it357 worked.

358 **ANDERSON:** I thought that was doing pretty good, yeah.

**TAYLOR:** One of the things that— The question of what type of counter to use was that in
the literature later it suggested the reason that he chose to use screen-wall counter was the fact
that it gave Geiger pulses as opposed to gas counters.

ANDERSON: That was certainly part of it, yes. Yes. Amplifiers were a problem in those days.
 Especially for people who didn't really understand the electronics. People like me—I could throw
 something together, it would work but it was not sophisticated as Jim pointed out.

365 **TAYLOR:** What was the nature of the power supplies at that time for the high voltage for 366 the experiment?

ANDERSON: They were simply— what do they call it? Pie section rectifiers. That was the
 reason—

369 **ARNOLD:** Was it circuit— I dimly remember some phrase—Schmitz circuit [?]?

ANDERSON: No. That's the trigger. The high voltage supply—that's the reason that tube was
hanging upside down. One of the problems was the high voltage supply would get in the ways.
And one of the sources of noise was that those high voltages leakage across the socket that
you plug the tube into. So, I said, "Well, we'll leave out the socket." I'll solder wires to the pins on
the rectifier tube and hang it upside down in the air and it eliminate that source of noise.

375 TAYLOR: Did you ever consider to use batteries for the potential across the counter at that376 time?

377 **ANDERSON:** Did we use batteries for the drive-in, drive-out ones?

378 **ARNOLD:** Maybe so. Years later, I used them for—

TAYLOR: Gordon Ferguson— That's what the New Zealand used to solve the problem of
noisy power supplies was to use batteries.

381 ANDERSON: No. As far as I know—

382 **ARNOLD:** I don't think that batteries were available necessarily at that time.

383 **ANDERSON:** After all, you're talking about leading a thousand volts or so. We operated that?

384 **ARNOLD:** Something like that.

Oral History of James Arnold, Ernest Anderson, and R. Ervin Taylor

385 **ANDERSON:** And then, kilovolts started to come by.

ARNOLD: Then later on, there were these nice three hundred volts called three hundredvolt batteries. And since you weren't drawing any current it's because we used them in La Jolla
for the level counters later.

ANDERSON: A battery, especially the kind that were available then, with low internal
 impedance was quite dangerous. You could draw a slug of current out of it and if you stack up a
 thousand volts that's capable of putting out twelve amps, well you've got a real lethal machine.

392 **ARNOLD:** Whittle-makers, I think they call them

393 **TAYLOR:** But since you wouldn't be drawing any current for the counter potential—

ANDERSON: You didn't need it, but on the other hand, low current batteries were not readilyavailable.

396 TAYLOR: What was the original background rate for the first time you put the counter397 together? Do you recall?

ANDERSON: Oh, I think when you just stuck an end to a shield, it was around a hundred fifty
counts a minute. And then, by the time we finally got the adequate coincidence working
property, it was below ten.

401 ARNOLD: My memory— When I arrived in Chicago to find this technology and being in memory for what it's worth says that in February '48 when I got back, the background inside a 402 403 lead shield and with the anti-coincident circuit hanging in the way that Ernie has described was 404 about forty counts a minute. And the signal of contemporary carbon was about four. And as 405 Ernie says, over a period of years, you left in spring '49, I stayed with the project through '52 basically the first eight list [?]. What I remember finally was a background of four, which was 406 407 what you just said, and a count rate of six because we got rid of some of the other things. So, I 408 don't remember that happening in any single big jump. I remember it as a ball nibbling away, 409 nibbling away. Radioactive getting the cleaner shield

- 410 **ANDERSON:** Then iron liner.
- 411 **ARNOLD:** Iron liner, electronic improvements, better materials for the counters, et cetera.

#### 412 **ANDERSON:** Slow and tedious.

#### 413 **ARNOLD:** Yup.

### [END OF PART ONE, BEGIN PART TWO]

414 **ARNOLD:** Maybe change the subject a little bit and talk about the lab— How the lab worked— How we worked with each other during— So the study— The main part of the project. I mean 415 when things were going along, when you and I were still here. You were still here— together. 416 417 And the three of us were the team with Bob Schuch as the technician and general fixer of 418 problems. Ernie and I had a great time together once we got acquainted. We decorated the two-419 seventeen Jones— The lab where the work was done in a couple of ways. One was to put up 420 art of various kinds. We had many discussions about painting and this and that. And another 421 was to put up three by five cards with little slogans on them in which the most everyone will 422 recognize is the Mark Twain in quotation. "There are three kinds of lies: lies, damn lies, and 423 statistics." And then there was the one which ended up in your thesis. And I don't know 424 remember, Ernie, whether that was actually posted on one of these cards, but it was a quote 425 from a non-existent classical Latin writer named Cebious Lecater [?] who was not invented by us but by an author named James Branch Cabell who wrote a book called Jurgen. 426

427 **ANDERSON:** Cabell. Rhymes with babble.

ARNOLD: Cabble. Thank you. I always forget that. And his hero was always getting out of—
or trying to get out of difficult spots by making up sayings and attributing them to this fellow. And
if I quote you correctly, Ernie, you will let me go by and otherwise— The subject is moral
judgment. "Moral judgment does not alter the effect of a measurement. It merely makes it
possible to obtain that result."

433 **ANDERSON:** "Does not alter the result of a measurement."

434 ARNOLD: "Merely makes it possible to obtain that result." The point about this little homily 435 is that when counters are working say fifty percent of the time, you have to choose which data to 436 use and which data to discard. And at first, all this improved as we went along. But at first, this 437 was a quite subjective judgment. You would listen. You would sit in the room. It was great strain 438 on one's emotional stability. You would sit in the room hoping to get some data and you would 439 be hearing a count. De, de. You know, we're talking something in the ballpark for a count of 440 second or less. Count of minute or less. Sorry. And all of a sudden— Brrrrrr! And then you 441 would say some four-lettered words to yourself and you would go over and try to fix the problem. Or you would look at a result, maybe you would go out of the room. And you'd come 442 443 back in and suddenly there were ten counts there which didn't seem right. And so, just as in this notebook account of the first ancient measurement which we're going to talk about some time, 444 445 there are— The counts were ten-minute counts. You would record them at the end of ten 446 minutes. And if it was lousy, you would cross it out. Or as in this particular thing, we got to the 447 point where we didn't even bother to write them down if something had been a-burst. Now this is 448 all quite appropriate in some ways, but in other ways, it was rather hairy. And the way to attack 449 it was not to try to make more sophisticated ways of judging which was good data and which 450 not. Although we did have one important one which was a strip-chart recorder that recorded 451 every ten counts or something like that so we could run through the knife. Because until we did 452 that, we didn't run through the knife. But nonetheless, what was involved was the transition from 453 a situation where this kind of judgment is always called for to a situation where you had a 454 working instrument which broke down now and then, but which basically was working. And so, 455 that transition was important. Well, anyway, getting back to the slogans— There were others. I 456 think there were three or four, but I don't remember.

457 **ANDERSON:** Remember the Keats? Apostrophe to his background?

- 458 **ARNOLD:** Yes. Go ahead.
- 459 ANDERSON: "Bright star, would I were steadfast as thou art?"
- 460 **ARNOLD:** Yes. That was very nice.

**ANDERSON:** Well, the sit-watching thing is certainly very important. One of our frustrations 461 462 was that Bill would often come in when we had first started to count a sample. And he would sit 463 there and he would watch the thing for oh-maybe five minutes. The statistical precision was 464 negligible at that point. He would look at me and say yeah. He's says, "That's right." [inaudible] 465 The minute he would leave, the counter would begin to drift. The rate would begin to go down or the rate would begin to go up. And there we were. He would come back. "Well, how did it come 466 467 out?" Generally, after an extra week of work fighting things and tuning things, it would come back to the level which he saw. But he could never quite understand. He saw it was correct. 468 469 What did we do? Why did it not stay there?

470 TAYLOR: Let me make sure. At this point, your anti-coincidence count—the net count was471 about a count a minute?

472 **ARNOLD:** Well, a little less. As I said, it started out— It was forty counts— I didn't say it
473 right. Yeah. It was a few counts a minute, actually. It was—

474 **ANDERSON:** Tens of counts a minute. Every ten seconds or five seconds.

475 **ARNOLD:** It was tens of counts a minute at the start and it got down to be four counts a
476 minute. So somewhere in the middle there at around ten counts a minute is probably my picture
477 of it

478 **TAYLOR:** Okay. Your four counts a minute was the final.

479 **ARNOLD:** The best.

480 **TAYLOR:** That was your background. And the contemporary was how much above—?

481 ARNOLD: Comemory was six—was net six at the end. And that meant that toward the end, 482 you could listen to the recorder while you were reading or something and you could tell right 483 away whether this was a live sample or a dead sample. At the beginning, you didn't have a clue. 484 You had to sit down, as we did at this notebook entry, and accumulate a lot of data, a lot of 485 records, add them all up. No computers in those days. March out the calculator and do these 486 things before you even knew whether you were in the ballpark or not.

**ANDERSON:** Now one of our best handles to try and eliminate this subjective judgment was a 487 488 matter of Poisson statistics. Statistics of random event tells you if you observe sixteen events, 489 the standard deviation is the square root of sixteen or four. Alright. So, in the notebook Jim was 490 writing the countdown every ten minutes or so. We later got a gadget called a traffic counter. A 491 sort of thing you put beside the road with a rubber tube and a car runs over it and it records the 492 time in which it happened. We later hooked one of these things up to get a continuous record. 493 And now you see, you could say, well alright. If during this period of time, twenty-five counts are 494 coming in, the standard deviation darn well better be something around five. And if the standard 495 deviation was two or one, you'd say, "Uh-oh. We're counting a steady pulse of something or rather." If the standard deviation was twenty for a cluster of these things- uh-uh. It isn't 496 497 working. It's picking up random counts. And so, the internal consistency check, the statistical distribution of repeated counts was the strongest tool at least in the first approximation to tell 498

Oral History of James Arnold, Ernest Anderson, and R. Ervin Taylor

you whether or not the thing was running or not. And also, you could look at a long record and
say—well, gee. The statistics are fine up to this point and now they go to pieces. Alright. This
represents the point at which something went wrong. Presumably the previously data can now
be lumped as a unit and the statistical precision will be much higher and we can compare that
with other large groups that were acquired later

504 **ARNOLD:** Now, that's one way that both of us, I'm sure, got Poisson statistics absolutely 505 embedded in our brains.

506 **ANDERSON:** Absolutely.

507 **ARNOLD:** I tried to teach it today to students who find it very mysterious. And I'm very 508 impatient with them because it's like, you know, I learned how to drive a car a long time ago, 509 what do you mean you can't drive a car?

510 **ANDERSON:** But the derivation is quite straight forward

511 **ARNOLD:** Oh, it is. But nonetheless, it is not intuitive to people who have not encountered 512 this.

513 **TAYLOR:** At low numbers, it doesn't always come out right until you get it a certain number 514 of counts to play with, right?

ANDERSON: Yeah. The standard deviation has its own standard deviation. Well, the
fundamental statistics is binomial and the first approximation upward leads you to Poisson, and
then the third approximation is as various things get large gets you to the Gaussian, or normal,
distributions. Poisson is good enough for this sort of things we were dealing with, although
binomial would have been more precise

520 **ARNOLD:** Well, in fact, one of the problems with this cyclotron recorder where you heard a 521 click every time there was count was that random events are not against something intuitive and 522 which is much more likely that you get two counts closer together than you think it is. So, when 523 you got dot-dot, you generally sort of clutched. But one learned to wait for a burst if there was 524 going to be a burst

525 **ARNOLD:** The highest probability for a radioactive disintegration is immediately following 526 the west one. That probability may be extremely low, but it's higher than in any time further on. 527 **TAYLOR:** When you expressed the error in terms of calculating error to cite—when you 528 calculate dates, you decided on one sigma.

529 **ARNOLD:** Yup.

530 **TAYLOR:** Was there any thought give to whether you should cite one sigma or two sigmas?

ANDERSON: I think one sigma was just the simplest, the least buttering of the data. One sigma
 follows directly from Poisson's statistics. Now, if you want to worry about probabilities ninety nine percent— Sure, use a higher sigma. But one sigma is the simplest.

534 **TAYLOR:** There was a later story that one of the reasons that one sigma was chosen, 535 whoever did it, was to do two sigmas would give you such a large error that you'd have a hard 536 PR problem with the people you were showing the dates to. Is that apocryphal story?

I think it's apocryphal. I don't think Bill, who certainly made all the decisions, was 537 ARNOLD: thinking that far ahead. I'm reminded as you speak of a topic I was going to introduce some time 538 which is the reception of the dates, data that started coming up by the archaeologists at that 539 540 time. And we had considerable difficulty introducing people who had never used statistics before 541 or mathematics particularly to what those errors meant. And in the first time I went— The first public presentation on a scientific meeting of radiocarbon dating was done by me at the Society 542 543 of American Archaeologists. It was a meeting with which there was the scented samples of 544 known age. And then, that was followed later on by a meeting that I attended some years later 545 of the same organization. By this time, with some other people with carbon-dating labs and 546 talking about it. In the first group, the general tendency was to say alright, the date is say, forty-547 six hundred years plus or minus two hundred years. Therefore, the probability of its being 548 between forty-four and forty-eight is one, and that probability of its being outside that limit is 549 zero. We had great troubles. I ended up writing in fact— There was an issue of a journal that Fred Johnson - I don't remember which journal it was. But-550

551 **ANDERSON:** American Antiquity.

552 **ARNOLD:** American Antiquity. Right. He invited me and I did put in a little essay how the 553 data would be interpreted. And it took a little while.

554 **TAYLOR:** I recall reading that. It was very helpful when I was grad student.

555 ARNOLD: Good, good. Thank you. Anyway, the point was that as far as its PR perception is 556 concerned, we were talking a foreign language when we began by quoting errors at all. And I 557 think it made very little difference in the end because, of course, it didn't take long for the more intelligent people to pick this up and use it correctly. And the less intelligent came along a little 558 slower, but they did. They were critiqued by their peers. So within, say, five years or so, this was 559 no longer an issue. Well, coming back to this business of how things ran and what it was like. 560 561 One other aspect of our work in 217 Jones was that laboratory space was very much at a 562 premium. And so, the people working in that lab were not just Ernie Anderson and me however 563 many hours we put into the day, and Bill Libby popping in and out looking over your shoulder 564 just when you didn't want him to. There were also a fair number of other people using the same 565 system. Hilde Levi, the Danish nuclear chemist that Ernie and I met at that time. Delightful 566 person. She was working in there. Nathan Chugerman [?] used it for some equipment. I encountered at one time nine people who had keys to or recognized access to that room. It was 567 568 a devil of a nuisance in one respect because it wasn't a big room. It was four hundred square 569 feet or something like that. On the other hand, it had its positive features. You could go out of 570 the lab and somebody else would do something for you. Hey, close that switch, will you at such 571 an hour? And got some very, very interesting conversations out of some of these people as 572 well.

573 **ANDERSON:** I remember poor Stan Aldridge [?] trying to count osmium tetroxide as a counting 574 gas. Remember that?

575 **ARNOLD:** Now that you've mentioned that.

576 **ANDERSON:** Stan was looking for the osmium-rhenium beta decay and osmium tetroxide was 577 the gassiest material he put in the compound.

ARNOLD: The worst event. Maybe HF [hydrofluoride] would be worse. But it was awful. We
had all sorts of people coming and going. And then we had summer visitors. One person's
name whose should be mentioned is Robert Merrill who was archaeology graduate student who
hung around.

582 **ANDERSON:** No relation to the opera singer.

583 **ARNOLD:** No. But at any rate, Libby was impressed enough with him to hire him for the 584 summer and he was very useful. He both languages and especially he worked on sample 585 processing. And I remember he was trying to figure out how to extract the straw from sun-dried 586 bricks.

587 TAYLOR: Was he?

588 **ARNOLD:** So, he ended up on the faculty at Dartmouth.

589 **ANDERSON:** He was a social anthropologist as opposed to an archaeologist

ARNOLD: Right. Well, at any rate, but he was one. Bob Adams who went on to fame and
fortune later and became the director of the Smithsonian was a student of Robert Redfield's. No
actually, a student of Bob Braidwood's.

593 **TAYLOR:** Merrill actually wrote the first article in American Antiquity that as I recall actually 594 talks about radiocarbon dating while he was still a— [*inaudible*]

595 ARNOLD: So, we had all these people coming and going and of course, we were 596 interacting. We were in this wonderful institute where all these brilliant scientists and graduate 597 students like Gannigan Lee [?] and Murph Goldwyn [?]—people who went on to Nobel Prizes 598 and whatever. So, we had a lot of stimulation from that source. And then there was the Oriental 599 Institute and others. I guess I should put on the tape the incident with the second sample that 600 we measured, which I think I've advertised before. The first sample, which was taken out of the 601 box from Lansing, was a chunk of wood from the step pyramid at Saggara in Egypt, which was 602 the first multi-story building that's been preserved. Very remarkable structure. We measured this 603 sample first in the funny, strange notebook that I recorded it here, which I've just shown you. 604 And got a good age. Got an age that was within the era. And then, we wanted— Before we 605 announced to the world that things were coming along, Bill was thinking of progress reports and more funding and eventually— Before the committee existed, Bill sent me over to Oriental 606 607 Institute to see Professor John Wilson who was then the head of that institute and get a sample which would be half way in between. Which would be about 0 BC or AD. And that was obviously 608 609 the Ptolemaic to me and to Wilson. The Ptolemaic period in Egypt from which there's just lots of 610 stuff. So, I went over there and Wilson undertook to provide us with a sample and he did. And 611 by now we are in late '48. Things are moving. And the samples measurement was getting more 612 reliable all the time. And we measured this sample three times. Full pressed counts. And three 613 times I was getting the same result as Ernie was getting as he measured his contemporary 614 essay. And the lump in the stomach got bigger and bigger. And I was of course keeping Bill in

daily touch with this, and finally he said to me, "Go over and talk to him." So, I took my lab
notebook over and I talked to Dr. Wilson. This is just a scene I could see to this day. I told him
these results. He was sitting at his desk and he looked up at me bright eyed and said, "Well, you
must be right." I had this overwhelming urge to punch this old man right in the face.

619 **TAYLOR:** How many months of work did you ever do?

ARNOLD: It was probably more than a month. The way I always remembered it, it ruined my Christmas. The second one—you're not feeling very secure because all of this— There could be many— Nature is always smarter than you are. There could be many reasons why it wouldn't have worked. Bill was very well aware of that and we were. So, the story was that it was a piece of money case bought from a reputable dealer in Cairo.

ANDERSON: Swore by the board, veered of the profit. That it is from of tomb of [*inaudible*]himself.

627 **TAYLOR:** It's an oxymoron to talk about a reputable dealer in Cairo.

628 ARNOLD: Of course, it is. And when that phrase came around, we all realized that Ernie 629 had just embellished it a little, but why not. But at any rate, Bill is of course— I was talking to 630 myself, appealing to the heavens. Bill was quieter. He just called Wilson up on the phone and 631 asked him what the most valuable sample in the collection was. And the answer was there was 632 a throne chair from Akhetaten from the Tell el-Amarna, from the 18th dynasty figure. Famous 633 Akhetaten. And there was this perfectly preserved throne chair in his glass case. And then Bill 634 said, "I'm sending Jim over this afternoon for a leg of that chair." And I think it was about that 635 time that John Wilson truly realized what he had done. What we did in reply— The committee 636 came into being— I don't know whether it was a direct result of that but it meant probably it was 637 already on the way. At any rate, committee immediately existed thereafter and Don [Donald] Collier who was at the field museum noted that there was a funerary boat from the 12th dynasty 638 639 from Sesostris in a huge case in the field museum. And we got a deck board from that boat. 640 Nobody thinks things like that. So, that was our second sample and it was very reassuring. And 641 after that—do you remember?—they provided us with the other four or five samples—with that 642 other three or four samples that went into the first figure that was reproduced many times. And 643 the decaying curve—we didn't even plot it on log paper. It was curved. And everything, you 644 know—sweetness and light, it all worked. In fact, one of the things to say there was that it worked better because the errors were still quite large. The errors were—by the time even of the 645

Oral History of James Arnold, Ernest Anderson, and R. Ervin Taylor

646 publication of that paper, the best counts were two hundred years or something of that sort.
647 Now we know now that the deviations from the curve were larger than that back in Zosser's [?]
648 time. But for most of the rest, it was okay. So, we didn't see the errors. And I thought later that if
649 the thing had started out being accurate to fifty years—precise to fifty years, there would have
650 been a lot of skepticism as we discovered the wiggles. But the thing was well embedded by the
651 time the precision had reached the point where we could see the wiggles.

ANDERSON: Yeah. Once the basis is established, then you can use Hermes quotation. If you
make a measurement and get you what you expect, you've made a measurement. If you get
what you don't expect, you've made a discovery. So, deviations became a discovery rather
than-

656 **ARNOLD:** Yes. That was Han Suess'—well, Devry's first and then Hans Suess.

TAYLOR: Did you or anyone reflect on the fact that what would have happened if the JohnWilson sample was the first sample rather than the second sample?

659 ARNOLD: Oh. I don't think we would have been discouraged by one. No, I think Bill- I'll 660 speak for myself. But you know, these things were talked about among us daily. I mean, this 661 was very, very serious business. And I certainly thought one reason for jumping at the chance 662 was that I thought that the odds were very good. And he clearly did, too. One thing to say here 663 is that Bill had had a reputation before the war—well, "Wild Bill." This was one of his nicknames 664 at Berkeley. And because there was a certain slap-dash quality to his science. And he would 665 think of something and play with it and get some sort of supporting result and write it up. He 666 never treated carbon dating that way. Carbon- He was acutely conscious that this was the 667 most important thing he had ever done. And something that if it worked would make him 668 famous. Everything— What really struck me working with him because I could still see the other 669 side. That part of his personality in speculating about other things was very present. But when it 670 came to what had to be done to make radio carbon convincing, he was as conservative as 671 anybody you would find. But that had its other side and it's stimulated by your question. He 672 wouldn't have given up with one shot. He would have wanted to know what's wrong, why, let's keep going, make some more measurements, and track this thing down. 673

ANDERSON: Well, I think what you've just described is one of his strongest points. Get in there
quick and dirty, survey the landscape, get some general ideas— If it's holding, probe deeper
and deeper. But as you say, be sure it's right at the end. He probably learned a little bit from his

erroneous early discoveries at Berkeley. But as you say, he did appreciate the importance ofthis and everything had to be nailed down tight.

**TAYLOR:** Later on, when he wrote some ruminations on the history of radiocarbon dating he mentioned a couple of things. I wonder what did it check— I wanted to ask you about it if I could. In '80, he wrote an article about the history of how this thing developed. And he suggested at that point, while he was developing it that he kept it a secret for a long time. And he apparently only told Harold Urey what the goal of his research was. Now, this was a statement he made in 1980 in retrospect to what had happened that you were personally involved with. What would you comment upon that conflicting statement?

686 ARNOLD: Well, my guess from the fact that I knew about it, and not unless memory has 687 played me totally false, from the paper in Princeton Review. It suggests that his memory was at 688 fault. He loved— The Libbys, the Ureys, and the Mayers, and the Westheimers [?]-four 689 couples— were very close friends and they partied a lot. And I was in a great situation because 690 I was young and unattached and I got in— I didn't have to give parties. All I had to do was go to 691 parties. And there was a great deal of drinking at those parties. And Bill had an odd habit of 692 suggesting themes for discussion at parties. There was one called the fossil model-T Ford that 693 you probably encountered at some time. The notion was there's really nothing new in the world 694 if we were able to dig up the record. Ten million years ago, there was another intelligent race 695 and civilization and all that sort of thing. And they invented the Model-T Ford and so on. This 696 was not-

697 **TAYLOR:** Was he even in part at all serious, or just purely—?

ARNOLD: He— In between. And I—for what it's worth—was telling this story certainly very
soon after the event. That's how I heard about it. And it was a— So he was not keeping it a
deep dark secret is what I'm saying. But he was certainly within—certainly did not hear about it.
He was not writing papers, calling up the press. I mean, he was not rambling about it very freely.
He did, however, later start talking to some of the people. I remember particularly Robert
Redfield at Chicago. He was a famous social anthropologist who was intensely interested and
so much so that he kind of tried to climb on board and join the project

705 **TAYLOR:** A social anthropologist?

706 ARNOLD: Yes.

707 **TAYLOR:** Oh. That's interesting. Do you know the motivation or the context?

708 **ARNOLD:** Yeah. The motivation was it was very exciting.

709 **TAYLOR:** Totally out of his area of competence.

710 Well, I understand that. But at any rate, perhaps I didn't really intend to bring that ARNOLD: 711 up, but since I have— Just say that our interpretation— I remember being upset enough after 712 one lunch with Redfield and Libby and listening to Redfield essentially assume that what was 713 correct. That Libby needed some expertise on that side. And just to invite himself on board and I 714 made Bill a quite angry speech. You could speak quite freely to Bill. Let's say he gave it back to 715 you hard, but you could speak to him. And I told him I had come out here to work with him and I 716 had every intention of giving him the very best I knew how, but that working for this gentleman 717 was not part of my contract that I had entered back under. And he basically said, "Oh, forget it. 718 It's just talk." But at any rate, what I'm saying is he had told some such people—now we're 719 talking when the project had actually— when the work was under way. Certainly, it must have 720 been hard to keep it quiet when everyday there-this project was-

721 **TAYLOR:** Ernie, did you ever sense that he was keeping this a secret? Is this a surprise to 722 you to hear this? About the secret aspect of it?

ANDERSON: I don't recall ever being impressed one way or the other that there was a secret, but it wasn't a secret. My reaction when I began to hear later that there was a secret and it was just that well, two things. He's both protecting his reputation as he claimed and he's not casting any clues to potential competitors and things.

TAYLOR: In reading some of Libby's retrospectives, I got the distinct impression that he'd
 sometimes embellish details to your effect. Is this correct?

ARNOLD: Well, certainly embellished details. Listen, you'll hear some of that today. I think
it's almost impossible to avoid. But yes, I think he— Well, it was in his biggest scientific triumph
in his long life—not long enough, but at any rate, his life—

732 **TAYLOR:** And he recognized that throughout his career?

ARNOLD: He recognized that right from day one. So naturally he thought about a lot of—
He romanticized about it a little bit. He earned the right. Certainly, at the time when I was on the

735 project, when it was proceeding, I certainly was under no strict juris of secrecy. Obviously, we 736 were not going to make any claims or say before we had the data in hand. But one thing- If 737 following Ernie's interpretation which I tend to agree with— Let me share another old Libby aphorism with you. When he was — Especially when he was seeing me off on my own, which I 738 739 started leaving him in Chicago, he would always tell everybody what you're doing was the 740 aphorism. And the justification was this. Said first of all, anybody with any sense is going to 741 realize if you say you're doing something that he started behind. And if he has any respect for 742 you, he's going to leave you in peace. Secondly, if he thinks he can beat you and he can, then 743 that's your fault, not his. So, he might as well. And thirdly-and this was the point-you can 744 learn from people. And this is— more or less. I will admit that there had been times when I haven't followed that policy, especially with particular individuals who didn't seem reliable. But at 745 746 any rate, he was-he did in general follow this policy. And by the time I had joined the project, he had such a lead that— I kind of imagined a scientist who is smart enough and quick-moving 747 748 enough to have gotten ahead of him.

- 749 **TAYLOR:** Is it Bob Grossa [?] that he made the remark what can he do?
- 750 **ARNOLD:** That was Redfield.
- 751 **TAYLOR:** That was Redfield—what can he do?
- ARNOLD: Yeah. Bob Grossa [?] was another person that tended to move in. I wonder
  whether we should break. I'm beginning to feel it. Is that okay? Let's do it.

## [END OF PART TWO, BEGIN PART THREE

- ARNOLD: —is the advisory committee and its role which I think was major. And just saying how
   that worked. And then we can go on—
- 756 **TAYLOR:** Okay. Why don't you start there? And then I'll pick mine up.

757 ARNOLD: Okay. One of the things that I think ought to be recorded in a history like this is 758 the importance of Fred Johnson and his committee in making carbon-14 as productive and as 759 widely accepted as it was early on. What they undertook to do was, in the main, two things. One 760 was to screen samples. First of all, the known samples so that we would have no repeats of the 761 sort of thing that had happened before. And they procured those samples in the main after the 762 incidence we described. Secondly then, the unknown samples. The decision was that we would 763 in the first group of unknowns choose certain problem areas where carbon-14 might be 764 expected to be particularly useful. And they did so. And they recruited specific archaeologists to submit samples in these different areas. I suppose ten or so archaeologists were involved in 765 766 that first choice and undertook to explain to these people what was needed. All of that was of 767 major importance because it meant if we had done this on our own, my knowledge of a 768 particular special aspect of radiocarbon dating, one which needed radiocarbon dating relatively 769 little just because so much was known—would not have extended to the breadth that was 770 required to do that job. And if we had floundered around picking people that we thought were 771 interesting, our judgment might very well have been doubtful or have been seen to be doubtful. 772 Fred, in particular, and the others knew where the bodies were buried and they acted 773 accordingly. The results were very good. The other thing was- And I think here particularly of Don Collier [?] at the museum because he was right there in Chicago. Well, I would call the daily 774 775 query, situations that came up involving the treatment of samples, involving-perhaps this 776 charcoal is contaminated by roots. Getting back to the archaeologists and digging it— All of that 777 was a constant source of assistance. Flint [?] was rather different because the two creeks 778 horizon which was the first glacial horizon that we dated was almost the only purely geological 779 thing that appeared in our first date list. But he picked that out and he was certainly a major 780 source of our confidence that things were really working that way. I remember—you may, too, 781 Ernie-the two of us having lunch with him one time. Richard Foster Flint [?] was about the 782 tallest man I have ever worked with scientifically. He was six feet nine. Something of that sort. 783 Seeing this fellow look down on you from his— way up there was an experience. But he was a 784 very, very good field geologist. Very good at making clear those things.

785 **TAYLOR:** When you gave him the dates, what was his reaction? Do you recall?

ARNOLD: Yes, yes. We gave him the dates. His reaction was, "Oh!" I think he was
thoughtful. He certainly did not reject it outright. We know now the calibration curve that the date
is somewhat older than that. But the twenty-five thousand years sort of conventional date that
existed at that time— I think I'm not— Let's say with the usual reservations about my memory,
my impression is that he had already had some feelings that that was too long.

**TAYLOR:** Because that was one of the major impacts. In the early radiocarbon dates, as
you well know, was a significant reduction in the terminal Pleistocene.

793 **ARNOLD:** That's right.

**TAYLOR:** Just that one two creeks date. I can't think of any single day early on that made
such a significant impact in \_\_\_\_\_\_ [*inaudible*] studies.

ARNOLD: I think that's right. And we felt that way too and then later when Hans moved in
 and really flushed that out with a whole series of measurements with other samples from other
 parts of the glacial record I'm sure that helped to convince skeptics because everything was
 very consistent.

800 TAYLOR: Except — [*inaudible*]. Almost — [*inaudible*] of course totally
801 rejected them.

ARNOLD: I have Ernst Antibbs [?] on this list. Just looking at his name—let me comment on
that. I met him in summer '49. I decided I needed a vacation. We had just been at it steadily.
And decided that an archaeological dig would be a charming thing to do. And consulted
probably Don Collier [?] and he introduced me to Paul Martin [?] and the permanent dig which
the \_\_\_\_\_\_ [*inaudible*] museum had in western New Mexico. And ancient American Indian
sites.

808 **TAYLOR:** Which site was he digging that year?

It's just a tiny little village just near-half way down New Mexico near the Arizona 809 ARNOLD: 810 border. About an altitude of 6,000 feet. Maybe before we're finished I will recall back the name of it. But they had a permanent up there. I had never been west of the Mississippi. It was 811 absolutely wonderful scenery. I think we may be sitting here because I fell in love with the West 812 813 at that particular moment. At any rate, I went there, and there was Ernst Antibbs [?]. And he was 814 very kind to me. He undertook to give me some elementary lessons in I-science [?]. And he did 815 his very best with me and it was very good. I just enjoyed the whole experience and we got to be good friends. And then we got into a situation where the dates in the southwest which fit his 816 817 estimates really quite well were embraced. But when we started getting dates elsewhere, 818 especially in the eastern US and in Europe, he developed a theory which he carried to his grave 819 that the decay rate of carbon-14 depended on the degree of moisture in the sample. And we-820 Somewhere, I don't know—in your files in the archives here, there may be some exchanges of 821 letters with him. I get these long hand-written letters. They were very friendly. I mean, it was not 822 denouncing me or us, but he kept believing— And he made reservations, "I not a physicist..."

But he kept coming back to this point and I kept giving him the best explanations I could why that just couldn't be true. And that's where it was left. Yes. But he was the cream of the eyeball geologist when it came to getting a good estimated date. Bill Libbey always had the habit— Every time we got a sample, Bill insisted that the submitter of the sample or someone that they trusted gave us a date that they believed. Bill's idea was, you know, sort the sheep from the goats—find out who's good. And if you had asked Bill at any time, he would have told you that Antibbs was the head of the class.

830 **TAYLOR:** That's a new piece of historical data. I wasn't aware of that.

ARNOLD: And other— And he had—this was no surprise—the archaeologists of that area
because he had been their standard resource for write-along. And in fact, of course, he would
also disagree— Let's say he estimated eighteen thousand years and we got fifteen thousand
years. We thought that was pretty good.

835 **TAYLOR:** Was he counting vars [?]?

ARNOLD: No, no. These were not vars [?]. And it was basically stratigraphy and relation to
climate—the climate that was present at that time. He never— Well, well, no I don't want to
make that comment.

ANDERSON: My understanding is it had to do with the extrapolated from the vars [?] sequence
in Scandinavia across the Atlantic to the Great Lakes and then tried to do it West. And in
retrospect all the books say he made some serious correlation problems.

ARNOLD: Yeah. That's right. The odd thing is he came out fine in the southwest. It was the
steps along the way—the var dates in Sweden were already way off.

844 **TAYLOR:** He was at De Geirs? [?]

845 **ARNOLD:** Yeah. He was a student at De Geirs [?]. Or De Geirs [?]—however it's

pronounced. And the same way in New York state. The errors resulting out from bad calibration

because he was often in Sweden. De Geir was off. And so Antibbs was off in New York state or

848 wherever he was doing these things. But he was right when he got to the Arian areas.

849 **ANDERSON:** He was a very good geomythologist. Incredibly good geomythologist.

850 ARNOLD: I can remember his despair with me. He'd take me to something and say see 851 here. I was looking at tan soil everywhere. And he was saying what we saw over there— I was 852 saying if you say so. But I liked him very much. And yes, this was a problem. There were other people who challenged dates and some of them were right. I think perhaps my favorite all time 853 854 archaeologist was Bob Braidwood [?]. There was never one whose company I enjoyed more. 855 And when we told him that Jarmon [?] was seventy-seven, seventy-eight hundred years old, he 856 said no, it's older than that. He was very nice about it. He's a friendly man, but he was right, 857 okay? I mean, he was seeing the difference between- I mean, the calibration curve didn't yet 858 exist. And when the calibration curve came into being, he was vindicated. By the way, I've been 859 exchanging correspondence with him over the last couple of years. He's still around. So, it was— That was one example where one of the people involved. Of course, he was interested in 860 861 pre-history, so that was one of his overall concentration. So, he was one person who challenged us correctly. 862

ANDERSON: Was it Chicago— Or was it Lamont who ran Sweden wood samples where you had wood totally preserved and then degraded—physical degradation of the wood? And it was Antibbs who submitted the samples, trying hope against hope that there was a significant C-14 difference in the wood as a function of its degradation?

867 **ARNOLD:** We never did that.

868 **ANDERSON:** That must have been Lamont.

869 **ARNOLD:** That must have been Lamont, yeah.

ANDERSON: So, I didn't hear the— I didn't know about the moisture part.

871 ARNOLD: Yeah. That was his theme in his correspondence with me and I think in a paper 872 or two. He wrote some papers on this subject and I would sigh each time. People are hesitating. Let me get back a little bit. This is not a complete of subject. To the Society of American 873 874 Archaeologist and the reception. I commented earlier about the first reaction to the dates. The 875 second time I went was about four years later. Larry Culp [?] was there. He was speaking of 876 Lamont [?]. Beth Ralph [?]. I don't remember— I think Crane [?] was the other person. We had a 877 panel. And what struck me most there was the change in the tone of the conferences as a 878 whole. Not particularly-obviously carbon-14 had made some progress. There were- It 879 certainly must have helped the archaeologists to gain some conviction when we were all pretty

880 much checking each other and verifying in independent laboratories. But I was so much struck 881 by the decay of the type of paper in which I would describe as the house of cards. Some is 882 particularly— I remember with hope will — [inaudible] and relatively recent things like that where— By the way, some of our results were misinterpreted by us and friends because 883 884 the errors were too big to really do a precise job. But the big change was the absence of the schools of thought about chronology. Because people began to realize there were going to be 885 886 answers if there weren't answers already. And so, there's no point in, so to say, spending thirty 887 years defending a point of view when a few dates could shoot you down. That really was a very 888 warm feeling. It's a feeling you've done something, you know?

TAYLOR: Let me pick up on a question that I'd like if possible to comment on. This is when 889 890 Libbey later had reminiscence about the early history of radiocarbon dating in a Nobel lecture. 891 He made a comment. Let me just— I have the quote here and I wanted you to comment on it if 892 you could for the purposes of the record. Is that when he in retrospect went back and made 893 these comments—see if you can provide some commentary on it. He says, "The research and 894 the development of the dating technique consist of two stages: the historical and the pre-historic 895 epics. The first shot Dr. Arnold and I had was when our advisors informed us that history extended back to only five thousand years. We had thought initially that we would be able to get 896 897 samples all along the curve back to thirty thousand, put the points in, and then our work would 898 be finished..." Can you provide some commentary on where you thought that sat in his 899 retrospective on how things happened? Because clearly you knew-

900 ARNOLD: Yeah. I mean, again, the peculiarities of my upbringing, I was perfectly familiar 901 with this before I ever met Bill Libbey. The oldest dates I guess are Sumerian rather than 902 Egyptian, but it's a very close match. And that was all— It was part of my instruction as a youth. 903 So that statement was not correct where I'm concerned. Not because of any special insight on my part, but because of the accent on my background. I think he— There is an element there of 904 905 color. He had a kind of way of taking pride in his working-class origins or whatever-however 906 you want to describe it. And he certainly tended to dress things up sometimes. I think it was not 907 as much a surprise. After all, the first sample we had come from Ambrose Lansing [?] and 908 already had that background. So, it can hardly have been a novelty to him entirely.

909 TAYLOR: Another question that came up— In going through the early date lists, I found the
910 only unpublished Chicago date. And wondered if you remember any context around it. This is
911 when you ran a charcoal from what was labeled as a Mousterian [?] level from a French

archaeological site and got about nine hundred years for the date, which is clearly anomalous.
In the original galleys for I think the second date list, it was taken out and there was a comment
that said, "We don't want to make the archaeologists unhappy." Does the context of— ? That's
the only unpublished Chicago date. Do you have any sense what that was all about?

916 ARNOLD: Well, you reminded me. I had forgotten the incident. I had forgotten the date. But now that you've reminded me I do indeed remember such an event. And remember, that's the 917 918 second date list. Some curious thing how Libbey's style changed a little bit after I left the project. 919 The biggest change was not this. The biggest change was something I had said to him again 920 out of my family background which is going in doing the project— The agreement was no 921 religious samples while I'm associated with the project. And the point of that was not being 922 against making studies of religion. The point was that I had been brought up with the notion that 923 if you tell people that their cherished religious beliefs are wrong, they get very angry. And so, 924 you didn't want to do that in a stage when you're trying to establish the method. Bill accepted 925 that and acted on it, although the first thing he did after I left the project was to date the Dead 926 Sea Scrolls.

927 **TAYLOR:** That's another question I had.

**ARNOLD:** And that was dated on cloth wrappings that were found around it. That was just fine. I mean that was— I certainly had no qualms about that since it seemed to be totally clear that they were real. I think he would have enjoyed the Shroud of Turin episode that came later very much, but he didn't get to see that. As far as this sample is concerned, I indeed with your reminder remember that there was such a sample and that we concluded, obviously, that we didn't know why it was some misinterpretation—some misidentification— Whatever it was, it was not what it purported to be.

935 **ANDERSON:** Like Ptolemy.

ARNOLD: Yeah, yeah. Like Ptolemy. Except in case, I don't think there's any question of its
being fake. It was some mistake. We had earlier ones which we avoided. Despite our
instructions, I remember two or three samples that came impregnated with \_\_\_\_\_\_\_\_\_
[*inaudible*] paraffin and we never measured them. Now this one, what the source of the problem
was so far as now obviously limited. I remember \_\_\_\_\_\_\_ [*inaudible*] says it was not all clear.
And I would judge that Bill felt there was a personal situation where some, perhaps elder
statesman or grand old man might be subject to ridicule or something else of that sort. In

general, we—it was understood. I'm sure that when the committee solicited samples, they made
it very clear to the submitters that those dates are going to be published. And that worked
effectively as quality control as well as their choice of materials because it must have made vivid
to them as it became vivid to John Wilson after the event I described. That particular one—
except that I told the story later—was also not published as a matter of fact until I decided
enough already and told the story.

TAYLOR: You mentioned the Dead Sea Scrolls. That was later added as an update to the
so-called curve of nodes. But as you know, the date for the Dead Sea Scrolls was a subject of
great deal of debate at the time and it certainly was not a known age sample. Now was that—
That's probably after you left the project.

953 ARNOLD: Oh, absolutely. The measurement was made after I left the project. So why that
954 was done was not— Although I think probably the controversy was over by that time. Or at least
955 over so far as big jump. Big percent.

956 TAYLOR: Well, they had— The date showed it wasn't fake. Okay. There's still debates
957 about—in the literature. People are specialists in that area about the exact age range.

958 **ARNOLD:** So, a hundred years or something like that.

959 **TAYLOR:** No. Like three or four hundred.

960 **ARNOLD:** Ah. As much as that.

961 **TAYLOR:** Yeah. Five, six, seven.

962 **ARNOLD:** I say I wasn't aware of that.

963 TAYLOR: Back to the period where you were in the lab—both of you— I had a conversation 964 with Fred Johnson before he died at length about his remembrance about the early years from 965 his perspective in archaeology. And he mentioned something to me that I have been trying to get-see if I could find the documentary support for a long time. I wonder if you could shed any 966 967 light on that. And that is, he had heard early on that several very prominent chemists verbalize or the oral tradition was that radiocarbon dating would never work. And Fred said later people-968 later after the Nobel prize, particularly, they took— He has stories about them burning their own 969 970 files if they had correspondence to that effect. Is this a new?

971 ARNOLD: Absolutely unknown to me. There were people— There was one incident which 972 caused certain confusion that I was partly responsible for. Before I left Harvard on my way to 973 join Libbey, I was still under the impression that we're going to have to enrich samples in order to date them. And I had a talk with a Harvard archaeologist whose won't come to me. At any 974 975 rate, on the subject of sample sizes and things of this kind— And since the— If we had had to— 976 The sample size for counting had been what it was and then we had to multiply it by the factor 977 of how much material. So, you're going from, say, ten grams of carbon which you want a multiple sample, so that's twenty. And then that's carbon. And when it's wood or something of 978 979 that sort, that's sixty or seventy. And that's when it's very new, but when it gets older, you 980 know— We started talking about hundreds of grams essentially, of sample. And his reaction 981 was to kind of throw up his hands and say, oh, these samples size gets that large. And if you 982 can— Of course, I also had mentioned the laboriousness of doing the separation. Bottle-neck 983 would have been the counting, it would have been the separation because these thermal 984 diffusion separations took weeks-two weeks-anyway. Maybe you could cut it short, but-So 985 he started spreading this story among his friends. He had every right to. I'm not criticizing him 986 for that. And the notion spread among this circle that while, you know, this was on paper, this 987 was a great method of practice or whatever.

988 **TAYLOR:** Because of sample size.

989 ARNOLD: Yeah. Because of sample size. Now as far as that other chemist— Interesting 990 that maybe Fred was dressing it up a little bit. I don't think of him so much inclined that way. But there may have been skeptics. I mean, I think it's like some other things that I can think of where 991 992 it would be very easy to think of ways— We ourselves were conscious of ways that it may not— 993 We're essentially exchanged. Very difficult in the absence of data to know whether exchange 994 reactions go on or not. Suppose some of the organic components of typical biological samples we worked with exchanged would-be carbon dioxide in the atmosphere. Organics in the soil and 995 996 incorporate material. Have a history. We didn't know that. And of course, that was treated with 997 degree so that nowadays people separate cellulose and they go through many such careful 998 purifications. Especially you talked at lunch about a sixty-thousand-year-old sample. You didn't 999 just take it right out of the bag, did you?

1000 **TAYLOR:** Weeks and weeks of extraction.

ARNOLD: I believe it. And now— So the point is that there were perfectly legitimate for asking critical questions. And in fact, some of those questions were valid so far as some samples were concerned—as far as precision was concerned. And I don't see why anybody who— I'm sure I myself have looked at something, some great ideas that some other people had said it would never work. In this work, I didn't go out and commit — [*inaudible*] I congratulated them.

ANDERSON: There was an aphorism once that if an expert tells you something will go, it willgo. If an expert tells you something won't, just ignore him.

1009 ARNOLD: Yeah. So, I think at least nothing like that—unlike Ernie—nothing like that ever 1010 came to my notice. Bill— There were people you know that did not like Bill Libbey. I mean, Bill— 1011 it must be said—was a person who made both friends and enemies easily. I was extremely fond 1012 of him, although not at all times of day. He had a habit— This may be a good place to refer to 1013 his habits with students and young people like myself. That if you work for him and thought you 1014 were good, he worked you over very hard. I remember- I'm sure Ernie you shared some of 1015 these experiences. He would give you some tough challenge and then we would go ahead and 1016 do it. We'd show him the results and he would say something like, "Obviously" or "Why didn't 1017 you get it sooner?" and you would get mad. You realize that you had deserved to be 1018 complimented for this. Now, of course, on the outside, he was boasting about you to everybody 1019 in sight. And his people could do no wrong. I have seen letters of recommendations from him to 1020 my eminent colleague here that probably the truth was the reverse also. I mean, we were just 1021 the greatest young scientists that had ever been seen. And that was his face to the outside 1022 world. But he kept raising the bar. I mean, if you did something, the idea was he could do more. 1023 And I'll tell you, I came out of three years from that absolutely re-made. I was simply a different 1024 person than I went in. I had— My skills were better; my confidence was better in every possible 1025 way. I was just way, way more ready to become a successful academic scientist than I had 1026 been before that. But it was strange later on. I remember one particular student who was just a 1027 bright as any of his other students, which means very bright indeed. But lazy. No ambition. Just 1028 easy going, good at sports—which is something Libbey liked a lot. So, he tolerated that for a 1029 while. And I noticed and all the other students noticed the likes of Sherry Rowland [?] and you 1030 know, Wolfgang— absolute top-caliber people. But this fellow was always treated very 1031 pleasantly. And I asked him once. Why are you so nice to this guy and you're so tough on 1032 people I named me? He said, what's the use? He didn't see any point in exercising his attack 1033 mode—Vince Lombardi [?] mode on people that he didn't think he could do anything with. And

1034 that was the game he was playing with us. He was going to make us the best scientists we 1035 could be. And by God, I must say, I don't know how I could be any better than he made me. I 1036 wanted to embellish on this a little bit because of the interesting contrast between two very close 1037 friends with Bill Libbey and Harold Uri who were working both in the same sort of field. Uri was 1038 doing the temperature scale of carbon-13, carbon-12, oxygen-18, oxygen-16. And both of them 1039 were in fields they had never been in before. And both of them turning out some very 1040 remarkable students. And Uri never did this football coach thing at all. Uri was absolutely 1041 straight-arrow. If it was exciting science the student was turning out, he got excited about it. It 1042 was not exciting science, he didn't work over the student. He just didn't pay any attention. And 1043 the students turned out just as well. Either way. So, I don't know whether Libbey's technique 1044 was in some way ideal. It didn't always work, even with very good people. I could cite people 1045 that he crushed. Just couldn't take it. You know, they just lost confidence. Well, I guess I'm no good. One in particular. And yet, my enthusiasm, my little speech a few minutes about his effect 1046 1047 on me is absolutely true.

- 1048 **TAYLOR:** Do you think it was conscious thing with him?
- 1049 **ARNOLD:** Yeah. Oh sure.
- 1050 **TAYLOR:** No question about it?

1051 ARNOLD: I don't doubt it for a minute that he was simply— I mentioned much earlier the 1052 first stage of this process is starting on my \_\_\_\_\_ [inaudible]. I mean, that was different. 1053 That was questioning somebody that you never saw before it his life with the goal with making 1054 him discontented with limited objectives essentially. And that was stage one with me as well. 1055 But the stage two, where you're making a person feel that he's got to try harder—that I think 1056 was absolutely routine but conscious. Yes. I'd like to take a bit. Let me go back a bit because 1057 I'm looking at my notes here and seeing something that we having treated yet. And it's a 1058 question for Ernie again. Chemistry. In order to convert the samples to carbon, having made the 1059 decision, the way we did it was to burn the samples, convert them to carbon dioxide, and then 1060 react the carbon dioxide with metallic magnesium and reduce the carbon dioxide to carbon-Carbon and MgO, leach out the MgO. That was developed by the time I arrived. I didn't-1061 1062 Except for little polishings which we did with everything. How did that come about? Was that a 1063 trial-and-error process or did it work the first time?

ANDERSON: Oh. Basically, it worked the first time we tried it. The problem was you had to be careful about how rapidly you let the CO<sub>2</sub> in, otherwise you'd burn up the apparatus. I don't know whose idea it was, except it's pretty obvious that if you've got carbon dioxide, you've got carbon, okay— But there was the matter of diffusion block in the system. But other than that— Oh, but you see, I had this memory different than yours. I remember everything was rosy. With this magnesium reduction— was just if he does the counters were and I regarded as the normal state of hostile nature.

1071 **ARNOLD:** Well—

1072 **TAYLOR:** You're one of the few. Everybody I talked to has interviewed—that had to do with 1073 solid carbon counting would count as horrors

ANDERSON: Yeah. Well, it was a horror. You can't imagine a worse thing to keep free from
contamination than activated charcoal which is what this stuff was. Prodigious surface area.
One of the things that saved us, I think, was just that we got this going before there was any
atmospheric—

1078 **ARNOLD:** I was about to say that.

ANDERSON: In the absence of that, if you stay away from a lab that had been used for radium, it was straightforward but it required considerable manual dexterity because you had to take this powdery fluffy gunk and put enough — [*inaudible*] to hold it together and then you had to carefully paint it on the inside away a long cylinder. I guess we later got the idea of painting it on the foil and then curling the foil. It was not easy to do. And of course, if any of the carbon came loose during the counting process, you're done.

- 1085 **TAYLOR:** How often did that occur early on?
- 1086 **ANDERSON:** I would say less than fifty percent of the time.
- 1087 **ARNOLD:** I don't remember it was big problem. It certainly was never in the later—
- 1088 **TAYLOR:** I know Gordon said when they tried it early on, it was mess.

1089 **ANDERSON:** There were things falling off? One of the things, of course, was how you shook

1090 the sample cylinder. If you were a little bit incautious and slid the cylinder down too abruptly

1091 like— a bang would come off. Maybe they didn't use enough agar.

ARNOLD: Well, they had the disadvantage of not picking it up from us. I mean they were doing everything on their own. I instructed several people in that technique and I don't remember anybody coming back to me with horror stories. But again, of course once the gas had been developed, I understand the preference very well. It was quite hearty and of course after three years of doing it, I got very good at it. I mean, like anything else you do every day. But these questions of how much agar and just the way—just the risk motion—

1098 **TAYLOR:** Why did you choose agar, by the way?

1099 **ARNOLD:** Well, we didn't use very much, but that was a—

1100 **ANDERSON:** A natural glue.

ARNOLD: A natural glue that would be easy to get off again in case you wanted to do it.
That seemed like at least— That was probably before my time too, but it— I had no qualm with
it. It wasn't like the alcohol. The amount of agar was very small.

1104 TAYLOR: Did you have any combustion when you developed a method of reduction? What1105 yields were you getting early on?

1106 **ANDERSON:** I must confess, we never bothered to measure them.

1107 **TAYLOR:** In retrospect, tell me what was the approximation was like.

1108 **ARNOLD:** Well, we did see thirteen— By the way, we didn't even do that in the early days.

But since Uri had the machine right there, it was very, very easy and he was cooperative. He's

1110 got these little gas tubes we would fill. You could usually tell by appearance quite well when

1111 you're at complete combustion. But certainly, any anomaly in the C-13, C-12 ratio would be an

1112 alarm bell.

**TAYLOR:** But you never published any of the stable isotope value. Any of the earlierliterature. Was there a reason for that or was it—?

ARNOLD: I think at first it was a lack of interest. And you know, the errors were large
enough that I don't think that there was any reason to particularly— The only one that I

1117 remember being an absolute terror was one of Ernie's samples, which was the seal blubber.

1118 **ANDERSON:** Oh, that! [laughter]

ARNOLD: So, you know, the whole Antarctic continent was uncovered and it was the
goodness of looking everywhere. And Ernie was directed— I don't know who turned up the fact
that there was some seal blubber in a freeze locker—

ANDERSON: Bill had a contact with somebody on the expedition. As a matter of fact, maybe
there was not enough time for that. It was my impression that it was—if not collective, especially
for him, was selected for him as a pre-existent sample.

ARNOLD: Well, any rate, I remember complaints from the organic chemists. Because of course, about the smell. We were doing this, you understand, not under the hood because there was no reason to do it in the hood. Normally, it was a closed-system and the combustion was reasonably complete and there was nothing— You know, what was there to worry about if there were a rather few oxides of nitrogen or so? But it revealed it was not enough to be bothered with.

ANDERSON: Not only that. This stuff was stored in a commercial beaker used for depository.
And the proprietor of the depository began fussing at us. You couldn't have that junk in his deep
freezes any more.

1134 **ARNOLD:** Because it was like a skunk magnified. And that was a kind of disaster.

ANDERSON: Well, the ironic part of that was that the seal seemed to be a weighted sample
the far southern hemisphere, but it turned out that they ate fish, but they just migrated down
from the equator. And so, the whole thing—

1138 ARNOLD: Well, in addition to that you have the whole ocean, land approximation. Which-1139 Well, there's a story. I think I want to throw in a few stories here. This is the first shell sample. 1140 It's in the first wait list. And Chesapeake bay oysters. And this came about in the following 1141 way— There were celebrations periodically in the Libbey group. Sometimes, the more typical 1142 celebration was that some good event had happened. We'd passed some test or other. And 1143 then Bill would bring in a bottle of very good liquor of some type. Like twelve-year old scotch or 1144 something of this sort. And the tiny beakers—25cc beakers—would be filled up with it just to 1145 really make a break. And there were many— I could tell a couple of more of these events. But 1146 one particular— There was a restaurant called Morton's. A sea food restaurant not far from 1147 campus. And one day, Bill invited us all to lunch. I can't remember the occasion, but I remember 1148 it was one of these celebrations. And we were sitting around and probably had a beer or two.

1149 Nobody was feeling terribly distressed. And the question came up about extending the method 1150 to shells. And so, on the spot, we had been sampling oysters and the question was— So 1151 somebody called Mr. Morton [?] over to the table and asked him where the oysters came from. 1152 Because of course, we needed the proponents and so forth and so on. He said they come from 1153 Chesapeake Bay. And the question was, how do you do? And the answer was Morton's only serves the best oysters. The best oysters come from Chesapeake Bay. And we were so 1154 1155 entertained by this syllogism that we collected the shells on the spot and we did the 1156 measurement. And again, the error was pretty large, even though the result was low, these 1157 were contemporary shells. They were- it was below Ernie's general curve. But not- Maybe it 1158 was one and a half sigma or something. It wasn't impossibly below. And it was only later that it was realized that not only is that correction quite substantial, but it's also not extremely constant 1159 1160 as you go from one part of the world to another or one situation to another. So that had to wait for other developments. While I'm on the subject of celebrations, there's one other-1161

## [END OF PART THREE, BEGIN PART FOUR]

1162 **ARNOLD:** —numbers. And we correctly concluded— So I think there was more than just
1163 this particular off-hand—

1164 **TAYLOR:** You never published this, did you?

1165 ARNOLD: No. I think we believe— We've gotten reservations. But I believe our ground rule 1166 was that if we went in, knowing or believing strongly that the result would not come out right, we 1167 were not obliged to published when our expectations were met. And we charged that off to 1168 method-development. We didn't feel that there was anything— Especially because the 1169 archaeologists or whoever it was that furnished this to us was no party to our decision to run it 1170 despite the difficulties. Well, I wanted to go a little bit with another one of these celebrations that 1171 I remember very well. At one point early on, Bill went to New York to give a talk at a scientific 1172 meeting. It must have been very close to the time that I talked to American archaeologists. And 1173 the New York Times had a reporter there. And the next day, there was a story in the New York 1174 Times which headlines read, "Scientist stumbles on new dating vector." And this was more 1175 ability— you know. I don't think even— I think many— I myself or any scientist would have done 1176 what he did. To start by saying, well you know, I'm not an archaeologist. I'm not an expert in this 1177 field. Usually apology. Most scientific talks begin with something self-deprecating. And that was

1178 his thing. And he spoke of stumbling on the idea or something of this sort, which might indeed 1179 have been true in some very general sense. And we were very annoyed—not equal amused 1180 and annoved because it had been three years of hard slugging at this point before this stage 1181 had been reached. And so, Bob Shook [?]—like I had mentioned before—had an idea. He had 1182 some friend who was a sign painter. So, the friend made out of metallic paints a bronze plaque on a sheet of paper which was just to paint it on. Which read something like this: "On this spot, 1183 1184 W.F. Libbey, 40—" which was always the way that things go. He was forty years old. That's the stumbled \_\_\_\_\_ [inaudible] for three years. And \_\_\_\_\_ [inaudible] on the carbon-14 1185 1186 dating method. That was partly a little big at Bill for letting this happened, but partly also just to, 1187 you know-kind of good fun. He took it with very good spirit. We found a window shade to cover it with. And we had him cut the cords so that it flew out, then we had a little bit of a party. It was 1188 a warm family, you know. We've been talking about this Vince Lombardi [?] business, but he 1189 had a way of conveying his pleasure in what was going on. And I think we never doubted his 1190 1191 support of his. And these were simply some of the illustrations of it.

**TAYLOR:** What was— During the years you were there—either of you—what was the
sample that you remembered the most about? Maybe it was— You've already indicated. Maybe
the two Greek sample. But does any particular sample come in mind.

1195 ARNOLD: No. If I would say if you asked me that, my reply would be, and another, the Crater Lake and the Mount — [inaudible] sample. The sandals. Rope sandals. What 1196 the story there is that when the Mount Mazama blew up and created Crater Lake [?], the 1197 1198 cause—I saw myself later— All of eastern Oregon pretty much was covered with a thick layer of volcanic ash. And one place that was covered was a cave whose mouth was sealed and the 1199 1200 archaeologist opening it up found something like seventy pairs of rope sandals. And the guess 1201 date was already well-known because Mount Mazama [?] had been dated at various places. 1202 Seven or eight thousand years which was the fact. And we saw these things. They were 1203 beautifully made. Some of them showed evidence of wear. I remember one with a thong on it, to hang on a peg. How we said, "Ah, impossible!" These things are too beautifully made to go back 1204 1205 that far. And so, it was a real shock—and pleasant shock—to find out that no, they were exactly 1206 that old. I would pick that one as the most exciting surprise, and pleasant surprise, of the ones I 1207 remember. Certainly, there were quite a few that were very interesting. But-

1208 **TAYLOR:** What was yours, Ernie?

ANDERSON: The one I remember best? Oh, it was the first— When we measured the
methane—the Baltimore methane—and discovered the contemporary stuff.

1211 **TAYLOR:** How about of an archaeological sample? Are you— You were already gone by 1212 the time they started to work on the samples?

ANDERSON: Well, one I remember perhaps because I was given a sample of it was thePeruvian mummy cloth. Which was frightfully problem—

1215 ARNOLD: Yes, that's right. I remember someone remarking to me— It was Don Collier [?], 1216 who was specializing in that field. That's better cloth than the people down there wear today. It's 1217 nasca and I have some of it upstairs in my cabinet. That's the other trophy I have besides a 1218 piece of the ----- [inaudible]. I have to say, though, coming back to Ernie's comment 1219 which I can well imagine-my own is basically similar. It's this thing. It's the first measurement of the Zosser [?] sample. And the realization— I've told this story many times. I'd tell it again. The 1220 realization- I was- Bill did not press me as far as I know to calculate the result before I felt 1221 1222 that—before we both felt that was enough statistics to accumulate on a Saturday in June which is I hope it will turn out that the first calculations start on Saturdays because that's how I 1223 1224 remember it. I sat down right after lunch and did that calculation. And I saw just basically that it 1225 was half-way in-between. And I was just still floating on air. I went out of there and walked around the streets in a kind of happy daze. And the other part of the story is when I— Of course, 1226 1227 Bill Libbey was out. I was off somewhere, so I couldn't tell him. But when I found myself coming into the house and I was living in an apartment on top of the Libbey house then, I was thinking 1228 1229 about backgrounds and contamination and electronic difficulties and I said to myself, I got-1230 You know, I just had this wonderful news an hour, an hour and a half ago, whatever it was, and now I'm already back in trouble again. But I-You know, it's fifty years later dandier and I still 1231 feel it. 1232

1233**TAYLOR:** I cherish the comment you made I think before on— You were the— For two1234days—

1235 **ARNOLD:** No. Two hours.

1236 TAYLOR: Two hours. You were the only person in the world that knew that radiocarbon1237 dating worked.

ARNOLD: Yes. That's correct. And it may have been— But it's certainly not as long as two
days. I think that two hours is a better guess. Bill did appear and I showed him the results and
we were quietly happy together.

ANDERSON: Going back a bit, when we were talking about the decorations and things we puton the calendar, did we cover the cyanide?

ARNOLD: No. We didn't cover the cyanide. Okay. In this period when Ernie had searched
that everything was working so far—

1245 **ANDERSON:** The only person I've ever heard say that.

1246 ARNOLD: Despite that perfectionist as we were, we were a little bit discontented. And of 1247 course, it came and went. You know, whenever you have something that's operating half the time, it doesn't operate twelve hours a day. It comes and goes. Just when you think it's getting 1248 1249 ahead, it'd fall back again. And I wrote a letter to my father saying something, kidding a little bit, 1250 about the curse of Tutankhamun. And I had heard these stories from him forever about this 1251 purported curse which was a journalistic invention after the discovery of the marvelous riches of 1252 the Tutankhamun tomb. And some time a few years later, one of the key people died suddenly. 1253 And people started saying, oh— There is no question that all of the pharaohs' tombs had 1254 inscriptions on them consigning to whatever hell their religion had. Anybody who broke in and 1255 violated this sacred place. But it was indeed a question to whether this curse work. And my 1256 father talked about one archaeologist who had died at ninety-five and all of these sorts of thing. 1257 So, it was a bunch of nonsense as far as he was concerned. But I wrote him a letter to this vain and asked him and I hope he understood my tongue in my cheek that he could provide me with 1258 1259 some religious— He was a very outspoken atheist. If he could provide me with some religious 1260 token which might be helpful. So, he sent me a little coin-like object which was the seeing-eye of 1261 - [*inaudible*]. The big, long— My gestures wouldn't go onto the tape. Long eye with— 1262 And these apparently had to be purchased somehow by the worshippers who wanted to get into the certain parts of the temple. And my father spoke of bushel baskets full of them which were 1263 collected at certain archaeological sites and that's why it'd be easy for him to pick up on. And 1264 Bill and Ernie and I discussed this when we got the letter back and with the emblem [?] in it and 1265 1266 the issue was— Do we put it inside watching the equipment or outside watching the experimenters? And we made the correct decision. We put it outside. And things improved 1267

immediately, by the way. And some of the photographs you can see this little thing taped to theshield looking at us.

1270 ANDERSON: That was one of our better acts of \_\_\_\_\_ [inaudible]

ARNOLD: 1271 Yes. Well, we had— In any project of that sort, there are always little things that are sort of fun stories afterwards. The range of materials, of course, was very wide. I'm thinking 1272 1273 of Junius Bird [?]. I don't know whether his samples had arrived before you left. But the— Junius 1274 Bird [?] was a— I quess the American Museum of Natural History in New York. And he was 1275 interested in pre-history, early man. And he sent us—the technical word is coprolites. Sloth 1276 dung from some of the caves. And he sent these things along with a couple of limericks as to 1277 the reasons for sending these particular samples. I wish I could recall them verbatim. They're 1278 quite amusing. All I remember is some of these coprolites rare— Well, something with care 1279 toward dating past eras for Bird. And I can't even remember the second line. But at any rate, 1280 things like that also kept us entertained. One other example. I had a very dear friend and 1281 teacher. Henry Eyring was a famous theoretical chemist at Princeton and a devout Mormon. He 1282 entered the bishop of the Mormon church at Salt Lake. And he was one of the most unusually 1283 deeply religious people I know because he was-had a good sense of humor about it. I liked to 1284 kid about religion. He didn't have anybody around him who was a Mormon, so he was not 1285 dealing with the faithful. And so, we got used to him banter. And a few— In the carbon-14 era, 1286 this was while I was a graduate student out of the carbon-14 era and I ran into Eyring at a 1287 meeting and he poised the thong question at me. He said there are people in the church-I 1288 didn't have to ask which church—who are perfectly willing to make the earth four and half billion years old and all this stuff, but they hold to the Garden of Eden and man being created 4000 1289 1290 BC. And do you know-do you have any clear evidence for the existence of human beings 1291 before 4000 BC. And it happened that I have the perfect answer for that. Because we had 1292 gotten from another archaeologist as part of the program I'm talking about, some painted arrows 1293 from the cave—Lovelock [?] Cave in Utah. Which was not anywhere. It was as I put it, God's 1294 country. Zion, right? And they were beautifully carved and they were painted with primary 1295 colors. Some natural vegetable pigments. And as it happened, I had logged them in and I had 1296 done everything myself up to the calculation of the result and sending it to the archaeologists. 1297 And the date was something like 7000 BC. It was nine thousand years old. So, the answer was 1298 questionably yes. And I remember the conversation because of what followed. I was thinking of some kidding remark to make to him and what I thought of was, "But of course, God can do 1299

anything. So perhaps He created the arrows in the cave, you know, at such and such a time."
And point of the story is his reply. He said, "Oh yes. Of course. But let me put it this way. If God
cheats, I won't play." I thought that was just a wonderful remark. I thought that was just a truly
memorable remark.

ANDERSON: Well, that sort of echoes Einstein. "Subtle is the Lord, but He's not malicious." Oralternatively, He doesn't roll the dice.

1306 ARNOLD: Yes, yes, yes. But I think Eyring's remark can be interpreted at many levels and I 1307 enjoy all the levels. I have one more topic to break in while I seem to be talking. It's important to 1308 remember for the record that Bill—as soon as we had reached the stage of a date list, as soon 1309 as we had reached the stage where the method was in our eyes well established—began to 1310 cast around for people who might be interested in setting up laboratories. It was not a passive 1311 thing with him. He made the remark on several occasions that he did not want to be pope for a 1312 whole generation of assistant professors of archaeology whose tenure depended on how the 1313 dates came out. And so— And of course, he didn't have to wait long for customers. And the last 1314 thing— Oh, I stuck my head in from time to time. I was right there. On occasion, elsewhere. But 1315 the last formal job I did for him before moving on to my own room, my own office, my own 1316 building, my own laboratory was to set up a course. Shall we say, one-on-one education of 1317 series of people who established carbon-14 labs. Larry Culp [?]. We mentioned Lamont Dardy 1318 [?]. Beth Ralph, University of Pennsylvania. One other I'm blocking out at the moment. And 1319 Hans Sous [?]. Now, Hans was at Chicago in that period and had made the decision which in 1320 retrospect is really pretty amazing to basically devote most of the rest of his career to doing this. 1321 Hans was a highly original scientist and so he obviously had to be deeply impressed. His 1322 approach to my instruction was very, very different from the others. The others were taking notes all the way. I had them finally— Like driving, you take the test. Each of them prepared a 1323 1324 sample and executed all this under my watchful eye. And you know, by that time, it was pretty 1325 cut and dry. No great difficulty about doing it. Hans came around and listened to me for a while. 1326 Never took any notes and after a little while he just stopped coming. And I found out later why 1327 he had ideas of his own. He didn't mess around with black carbon at all. He just went straight to 1328 acetylene and that was how he did it. First Yale, which didn't come very far. Then to Washington 1329 and then here. And it was very important to Bill. I remember his great pleasure, his delight when 1330 Hans sent him a letter with some of the early glacial dates. And he could see that this was good 1331 work and he felt that a very important milestone had been passed.

1332 TAYLOR: You mentioned some of your early dates. Later on, or I don't know at what 1333 point— Let me ask the question. At what point did Bill get interested in the early teachings of the 1334 New World? Because when I knew him— He was the person who initiated the work at Tully 1335 Springs [?] which was the first large-scaled Paleo-Indian excavation in the North Americas. That 1336 was really his pushing at UCLA. Do you— What I ask you about the most interesting sample, 1337 one of the ones that of course stand out to archaeologists is the first date on -1338 [inaudible] which was wrong, not because it was a wrong date. It was because the archaeologists hadn't been able to identify a secondary deposit. Do you have any sense of -?? 1339

ARNOLD: Oh. He was very much interested, so was I— I must say. But he was—yes. I
think his interest dated back to his getting acquainted with the range of archaeology and
problems. I think he picked that out quite early because the dates—the guess dates were all
arranged. There was no— There should have been something that would have worked.

1344**TAYLOR:** You didn't— Did you work on the—? See, he dated— Chicago dated a Tully1345Springs date.

1346 **ARNOLD:** No. I don't know about Tully Springs but I— But the original fulsome [?] sample—

1347 **TAYLOR:** You worked on.

1348 **ARNOLD:** I think so. That was on the first date list, wasn't it?

1349 **TAYLOR:** Yeah, yeah. That's when it got four thousand.

1350 ARNOLD: Yeah. The answer there— I think the best way to say what I did and what I didn't 1351 do is— That was some small exceptions one way or the other. But basically, my— The first date 1352 list and my presence on the project were pretty contiguous. That is, after that I moved on and 1353 that was pretty much the break point. Again, not carbon fast, but as a rough rule, if it's on the first date list, I did it. I may remark in that connection that although I no longer remember the 1354 1355 details, I became convinced about ten years afterwards that one or two of the samples whose dates seemed wrong were sample that had been misidentified by me. That is, I was the curator. 1356 1357 I took all these things in and the samples would go into polyethylene bags with the polyethylene labels sealed inside the bag with a number written on it. And the numbers went up into the four 1358 1359 hundreds I think by the time I left. And I was not experienced at that. And you know, confession 1360 is good for the soul. I'd like to believe that I never made any mistakes, but I think I made a

couple. And I could no longer tell you which ones they were or what my circumstantial evidence
was. But I think there were a couple. So that's another source of problems. I couldn't dare to say
whether the fulsome [?] sample was one. Probably not.

**TAYLOR:** Well, that turned out to be alright because there was a clear explanation. Nobody questioned that the dating was reasonable because it's a secondary channel. And when Cook [?] went back to look at the citing, he had missed it the first time around in the thirties when he collected the original samples. But when you look at the side profile again, he recorded it. It clearly came out of the secondary channel. Had nothing to do with fulsome [?].

ARNOLD: There were a few other cases where people went back and found something
wrong. And I think that's not—that's probably power for the course. Nothing—

**TAYLOR:** Well, there is several, though. I think I probably at one time— It's been another
ten or fifteen years, but seven or eight of the old Chicago samples had been re-dated. And I
think with only one exception was there any, in terms, just analysis. Only one I knew about gave
a very different age.

- 1375 **ARNOLD:** You're talking about the same samples?
- 1376 **TAYLOR:** Same samples
- 1377 **ARNOLD:** So, there would be no question of—

1378 **TAYLOR:** It's amazing how consistent some of the early dates are in retrospect.

1379 ARNOLD: I think they're basically good. I certainly don't know anything except— It's a 1380 guestion of this guestion of purification and extraneous carbon. It might have turned out that was the worse problem that we — [inaudible]. It wasn't terribly bad. There were, 1381 1382 undoubtedly, cases- Well, there were cases- Again, we were already alert for that. And I 1383 remember some samples coming in that we rejected out of hand. You could see the roots just like the paraffin ones I spoke of. In fact, one conclusion we came to fairly early on was that it's 1384 1385 extremely advisable to have fresh collections rather than get back to museum archives because 1386 you don't always have the records. Somebody may have done something that the present curators had no idea of. And if it didn't jump out at you, you could mess up. Well, we're running 1387 1388 down. Are there any -?

1389 **ANDERSON:** I'm happy to listen.

**TAYLOR:** Well, I was hoping you'd have a special sample, but it turns out to be your firstmethane.

1392 **ARNOLD:** Well, isn't that special enough?

ANDERSON: I remember the very first bang. Besides, Jim was the one who did the dating. If I
had a second memory, I suppose it would be the CO<sub>4</sub>. I don't remember things.

**TAYLOR:** Well, our equivalent of that was when we burned coprolites at UCLA. Ah, thewhole floor stunk for about three days.

ARNOLD: Well, I must say, you know, there are places in this record of the first Zosser [?] sample where I think the laboratory notes are in your handwriting. I could pick them out maybe off-line with this discussion. But, you know, you and I worked in together. I'm sure that I took some readings for you and you took some readings for me. That could hardly be— Maybe we don't want to keep the tape for it. Let me see if I can—

1402 [Tape stops, then resumes]

1403 **ARNOLD:** The cyclotron incident.

1404 **ANDERSON:** Cyclotron or betatron?

1405 **ARNOLD:** No, it was cyclotron.

1406 **ANDERSON:** It was still a cyclotron.

1407 ARNOLD: There was a big cyclotron. Alright. And additional remark, an incident we did not describe. Libbey was frequently though about and received suggestions to reduce the 1408 1409 background of the counter by going underground. And we did two tests. The one that I'm talking about here, the \_\_\_\_\_ [inaudible] studies was building a big sector cyclotron at one end of 1410 1411 the building. And there was something— At one moment, there was something like twenty feet 1412 of steel above your head if you were in a little room underneath this cyclotron. The whole 1413 building was under construction, so it was opened. Ernie and I- The contractor provided these 1414 long ladders so that we could get down there.

1415 **ANDERSON:** It's about a two-story climb down there.

1416 **ARNOLD:** Yes. And we got them. And probably a crane to let the shielding down and so on.

1417 And we set up down there to see how much difference this would make. Chicago was not a

1418 good place to get into a deep mine. But this was a real opportunity with the heavy shielding.

1419 Well, that was all very well and good. I remember our delight that Bill Libbey never came down

1420 there, so that was a way that we could—

1421 **TAYLOR:** So, you took your only existing system down?

1422 **ARNOLD:** No. We had a spare, I think. I think there were two, by that time. Not the— You1423 know, maybe it was the only existing shield.

1424 **ANDERSON:** It was the only existing one.

1425 **TAYLOR:** So, you disassembled the shield.

1426 **ANDERSON:** This must have been back when we still had just a lead-brick shield.

1427 **ARNOLD:** Well, I think there were iron plates.

1428 **ANDERSON:** You're right. Yeah. I remember those.

1429 ARNOLD: Okay. This was alright. And the meson [?] count, the count that was eliminated by the ACs [?] went down very markedly. I don't remember numbers. But we saw that we were 1430 1431 doing something. However, different colors began to arise. There were kids, of course, in the 1432 area. And little kids seeing all this activity came around and came into the building to watch us. 1433 And then people started shying pebbles down at— Because the room— Here is the— Can't do 1434 this on the tape, but picture the cyclotron as a rectangle. There's a big yoke. These whole 1435 pieces in the middle. And then the service room below it extended out and there two little slots 1436 that you could clamber in and out of and got materials in and out of. And they were throwing 1437 these pebbles, but for an hour or so, they were not getting anywhere. Then they found the 1438 range. I remember Ernie and I getting up and yelling at them and of course, they scattered to 1439 the four winds. But either then or some soon thereafter date, we came back one day when 1440 obviously they had been down there and they pushed the shield over. And the shield collapsed. 1441 And our counters and anti-coincidence counters were smashed. And that was a set-back. Since 1442 I'm telling the story, the other was going into the steam tunnels. And somewhere, again, in the

wilds of the places that we can't find, there are pictures of Ernie and me in our undershirts
dripping sweat measuring the— We were only down about ten or twelve feet below the— We
moved the man-hole cover with the — [*inaudible*] with the appropriate Chicago
authorities. And brought our equipment down there. That was a real eye-shocker. We got out of
there as soon as we could.

ANDERSON: There was an interesting personal footnote on this cyclotron-shield business. My third child was being born. And of course, well, that's my wife's job. I've got counting to do. And there were some difficulties in the delivery and the doctor asked her where's your husband? Can we get a hold of him? And she says, "Oh he's in a six-foot cement pit under the cyclotron." And the doctor says, "She's delirious."

1453 **ARNOLD:** Picture you tangling with some of the Capone gang or something of the sort.

ANDERSON: But anyhow, the minimum background we got didn't change. It was just that the shield embedded the removal of some of the same thing, but the anti-coincidence was saved.

1456 **TAYLOR:** So, it wasn't reduced. Most of the background come from proton—?

1457 ARNOLD: — [inaudible]. But what it was, I wasn't even sure of the result you just state. I'm sure you're right. And they had been local gamma flocks or something else of the sort 1458 1459 that would be indifferent. At any rate, these were things that took us out of the norm and result— 1460 We had various other disasters. Of course, you can't go through any long period in the lab 1461 without encounter problems. And this was particularly true later when again, beyond Ernie's time by quite a bit and almost beyond mine, when Libbey for reasons I have never understood 1462 1463 hired a couple of technicians who were real clowns. And all sorts of odd events took place as a result of their amateurism, including— The worst case was— This was now when we were in 1464 1465 the new building. Bill decided he wanted the portable shield. And they built a shield which they put on a table specially constructed and unsoundly designed by one of them. And I heard one 1466 1467 day down the hall a most terrible crash and went running. And there was this fellow standing, 1468 shaking on the safe side of the collapse. He never knew how he got there. It was collapsing 1469 right on him. He got out. He never could remember how. But it wasn't properly cross-braced and 1470 just hold on.

1471 **TAYLOR:** Portable shield for what purpose?

1472 ARNOLD: Oh, I don't know. I suppose he had the idea of going into the field with the1473 apparatus.

**TAYLOR:** Well, they finally did that. When I was a grad student, we built a portable gas
counting system, put it on a truck, took it out to an archaeological site at Malaga Cove of all
places and ran one day. And that was the only time. So, he was interested in doing that way
back then.

1478 **ARNOLD:** Yeah. That's my best remembrance. Doubtful about why this was done.

ANDERSON: Well, the very first shield that we used when we were still measuring Baltimore methane was a portable shield. It was a huge massive cart with wheels on it and it contained just a tight-fitting all-lead shield. We had to lift huge brick off the top of it to get to counter, but of course, with the gas sample counter we didn't have to get into it very often. But I'm trying to recall what his reasoning about that was. I think he figured well, I might want to use it in one of my other labs or somebody might want to borrow the shield and so, make it portable, wheel it down the hall.

1486 **ARNOLD:** Yeah, yeah, it may have been something like that. Bill was, of course, a creative1487 person.

1488 **ANDERSON:** Great imagination.

**ARNOLD:** Yeah. One other— The tape's on now. I might mention that Bill's lab in the new building reminds of a week which was memorable because in that week I was—gave a guided tour at the laboratory to two prime ministers. Éamon de Valera and Palme was the—Olof Palme was the prime minister of Sweden. And it turned there must have been some kind of UN conference in Chicago. And it turned out that both of them had a strong interest in seeing Libbey's laboratory. And Libbey was out of town, so I did the honors. De Valera was a particularly fascinating character.

1496 **ANDERSON:** He was quite an intellectual.

1497 **ARNOLD:** Oh yes. And very sharp. So that was another rather striking memory. Anything1498 else, folks?

ANDERSON: I could describe our troubles with the screen-walled. I don't whether I interjected

- 1500 with this before or not, but you certainly weren't the only one who had trouble with it. A lot of it is
- 1501 other graduate students who had to use it—tried to make one or fiddle with it. And in terms of

1502 constructing it, they were complete failures. I always subscribe my success to the fact that when

- 1503 I was a kid I used to build models of sailing ships, so I was used to straying fine wires and
- 1504 moving [*inaudible*] across the shrouds, and that sort of thing.
- 1505 **TAYLOR:** You built all of the detectors that Libbey used?
- 1506 **ANDERSON:** Not all of them. Well, we bought some of them eventually.
- 1507 **ARNOLD:** But Bob Shook [?] certainly must have some of the construction.

1508 **ANDERSON:** And then Bob joined us, yeah. But the anti-coincidence counters— At first, I

1509 made them, and then Bill decided he could buy them from radiation counter labs. And so, I

1510 happened to be working for radiation counter labs so I made them.

- 1511 **TAYLOR:** Let's see, radiation counting labs was a woman—
- 1512 **ARNOLD:** No. A man named Ernie Wakefield [?].
- 1513 **ANDERSON:** Yeah. It was a private— Yeah. Ernie Wakefield. Yeah— Or you're thinking of—
- 1514 ARNOLD: Oh, yes. She was—
- 1515 ANDERSON: She made the boron tri——— [inaudible]
- 1516 **ARNOLD:** She made a lot of the counters.
- 1517 ANDERSON: Oh, yes! Nancy Wood [?].
- 1518 **ARNOLD:** Nancy Wood. That's right. That's right.
- 1519 **ANDERSON:** She made those lovely split mike thin window counters.

ARNOLD: She made all sorts of things. I think at some time or rather we had Nancy Wood
counters in the lab as well for anti-coincidence counters. But later and certainly in the later

designs, of course, we carried various things further but we started using gas-float proportional

1523 counters and then it became much easier because they didn't have to be very gas-tied.

| 1524         | TAYLOR:                         | Oh, so you did use float counters for your anti-coincidence.  |
|--------------|---------------------------------|---|
| 1525         | ARNOLD:                         | That's correct. Here at La Jolla, that was the—   |
| 1526         | ANDERSON                        | : For anti-coincidence?   |
| 1527         | TAYLOR:                         | Oh, at Chicago?   |
| 1528<br>1529 | ARNOLD: coincidence.            | No. Not at Chicago. I don't remember it at Chicago. I can't recall for the anti-<br>But I didn't do very much of that at Chicago. But at Princeton and later at La Jolla.       |
| 1530         | TAYLOR:                         | Well, that's what I use.  |
| 1531<br>1532 |                                 | : I'd like to think we're using a float counter for the sample for the screen-wall why for the anti-coincidence? Geiger tubes are so reliable and more faithful.                |
| 1533         | ARNOLD:                         | Well, the solar ——— [ <i>inaudible</i> ] these days are proportional counters.  |
| 1534         | ANDERSON                        | But they had to have gas flowing through the darn things.   |
| 1535         | ARNOLD:                         | So?   |
| 1536         | ANDERSON                        | : Well, it's that extra complication. You've got this big gas cylinder and a bubbler—   |
| 1537<br>1538 | <b>ARNOLD:</b><br>They're very, | Yeah, yeah. But a gas cylinder lasts six months or something like that. No. very troublesome.   |
| 1539         | ANDERSON                        | : It was the Englishman who said that's not the way you make toast.   |
| 1540<br>1541 | Ŭ                               | Alright. If you'd like. There are a lot of things we did very differently after we left<br>, of course, we held everything to his original impotence, but we did try to improve |
| 1542         | the way thing                   | s went. Well, folks, maybe we should really declare it. I think we've—  |

## [END OF INTERVIEW]